

0001

IN THE CIRCUIT COURT OF THE 17TH JUDICIAL CIRCUIT, IN
AND FOR BROWARD COUNTY, FLORIDA

COMPLEX LITIGATION UNIT
CASE NO. 08-80000 (19)

IN RE: ENGLE PROGENY
CASES TOBACCO LITIGATION,

Pertains to: Loretta M. Vasko
as Personal Representative of
the Estate of John A. Vasko, Jr.,
Broward County Case No. 08-001124
CACE (19)

_____ /

DEPOSITION OF I. JACK ABRAMSON, M.D.

October 13, 2010
1:14 p.m.
1000 S. Federal Highway
Hallandale, Florida

Stenographically Reported By:
Lynda Royer, R.P.R.
Registered Professional Reporter

0002

APPEARANCES

ON BEHALF OF THE PLAINTIFF:

Edward H. Zebersky, Esq.
Zebersky & Payne, LLP
110 S.E. 6th Street
Suite 2150
Fort Lauderdale, Florida 33301

ON BEHALF OF THE DEFENDANT:

Jason E. Keehfus, Esq.
Jones Day
1420 Peachtree Street N.E.
Suite 800
Atlanta, Georgia 30309-3053

Rachel E. Daly, Esq.
Womble, Carlyle, Sandridge & Rice
One W. 4th Street
Winston-Salem, North Carolina 27101

INDEX

TESTIMONY OF I. JACK ABRAMSON, M.D.

DIRECT EXAMINATION	Page
BY MR. ZEBERSKY.....	4
CROSS-EXAMINATION	
BY MR. KEEHFUS.....	150

19

REDIRECT EXAMINATION
 BY MR. ZEBERSKY..... 155
 ERRATA SHEET..... 157
 CERTIFICATE OF OATH..... 158
 CERTIFICATE OF REPORTER..... 159

23

24

25

0003

1

EXHIBITS

2

Plaintiff's Exhibit Number 1..... 6
 Deposition Notice

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0004

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

Deposition taken before Lynda Royer, Registered
 Professional Reporter and Notary Public in and for the
 State of Florida at Large in the above cause.

P R O C E E D I N G S

COURT REPORTER: You do swear the testimony you
 are about to give will be the truth, the whole
 truth, and nothing but the truth?

THE WITNESS: Yes, I do.

I. JACK ABRAMSON, M.D.

being first duly sworn, was examined

and testified as follows:

DIRECT EXAMINATION

BY MR. ZEBERSKY:

Q. Sir, would you tell us your name and spell your
 last name?

A. Israel Jack Abramson. A-B-R-A-M-S-O-N.

Q. And do you know why you're here today,
 Dr. Abramson?

A. I guess you want my opinions regarding the
 Vasko case.

21 Q. I do. Have you had your deposition taken
22 before?
23 A. Yes, I have.
24 Q. How many times?
25 A. Many times. I don't know how many.
0005
1 Q. More than 100?
2 A. No.
3 Q. More than 50?
4 A. Probably around 50 times.
5 Q. Great. So I don't need to explain to you the
6 rules of a deposition. You're kind of worldly in that
7 craft?
8 A. Well, that's up to you. If you would like to
9 explain them to me, I'm happy to hear them.
10 Q. Great. Well, a deposition is my opportunity to
11 ask you questions about what you know about this case.
12 Okay?
13 A. Yes.
14 Q. Do you understand that?
15 A. Yes.
16 Q. Wonderful. Now, in a deposition we have a
17 court reporter and a court reporter will take down what
18 you say and what I say. Do you understand that?
19 A. Yes.
20 Q. And that in order for the court reporter to
21 take down what you say, you need to give verbal
22 responses to the questions. Fair enough?
23 A. Most people go "uh-huh" when asked that
24 question, but I'll say "yes."
25 Q. Thank you. Now, one other thing is the
0006
1 questions that I ask you, I'll expect that you
2 understand the question that I ask you if you answer it.
3 Is that fair enough?
4 A. Yes, it is.
5 Q. So if you don't understand one of the questions
6 that I ask, you'll ask me to rephrase the question so
7 you better understand it?
8 A. Yes.
9 Q. Wonderful.
10 (Thereupon, Plaintiff's Exhibit Number 1 was
11 marked for identification.)
12 BY MR. ZEBERSKY:
13 Q. I'm showing you what's been marked as
14 Plaintiff's Exhibit 1 which is the Re-Notice of Taking
15 Deposition Duces Tecum. Have you seen that document
16 before?
17 A. Not this one. I've seen a copy of it.
18 Q. Wonderful. Who provided you with a copy of
19 Exhibit 1?
20 A. The attorneys. I'm not sure which one in
21 particular gave it to me.
22 Q. The attorneys for the tobacco company, right?
23 A. Correct.
24 Q. Now, attached or as part of Exhibit 1, there's
25 a list of documents which we requested to be brought to
0007
1 this deposition. Are you familiar with that list?
2 A. Yes.
3 Q. Have you brought any of those documents to the
4 deposition?
5 A. Yes, I have.
6 Q. Can you show us which documents which were
7 requested pursuant to that list you brought with you?

8 A. Sure. Here is a document which lists the time
9 I spent on this case and the amount that was billed.

10 Q. May I see it? I'm going to list your time
11 sheet as Exhibit 2.

12 (Thereupon, Plaintiff's Exhibit Number 2 was
13 marked for identification.)

14 BY MR. ZEBERSKY:

15 Q. Did I accurately describe what Exhibit 2 is as
16 your time sheet?

17 A. Yes. This is a most recent copy of my
18 curriculum vitae.

19 Q. Your curriculum vitae I am going to attach as
20 Exhibit 3. Fair enough?

21 A. Yes, sir.

22 (Thereupon, Plaintiff's Exhibit Number 3 was
23 marked for identification.)

24 A. This is a case list.

25 BY MR. ZEBERSKY:

0008

1 Q. It's a list -- I'm going to mark this as
2 Exhibit 4, and are you telling us that Exhibit 4 is the
3 list of all the cases in which you've testified?

4 (Thereupon, Plaintiff's Exhibit Number 4 was
5 marked for identification.)

6 A. Yes, within the past -- I think four years was
7 the request.

8 Q. Is there an additional list of cases where
9 you've been retained as an expert but have not testified
10 within the last four years?

11 A. That list -- can I see it?

12 Q. Sure.

13 A. This case includes every case that I've either
14 given testimony at deposition or trial. There have been
15 cases that I've looked at that have not gotten to those
16 stages. They're not included in this list.

17 Q. Were any of the cases that you looked at but
18 did not get involved with related to tobacco?

19 A. No.

20 Q. What would those cases have been related to?

21 A. Likely standard of care type cases.

22 Q. Medical malpractice type cases?

23 A. Yes.

24 Q. In other words, whether another psychiatrist's
25 actions fell below or above the standard of care?

0009

1 A. Correct.

2 Q. Have you done any work as an expert for the
3 tobacco companies before this case?

4 A. Yes.

5 Q. And what other cases have you done work with at
6 tobacco companies other than the Vasko matter?

7 A. I don't have a list of the particular cases,
8 but I've had conversations with attorneys for the past
9 12 years. They've come to my office every once in a
10 while and we've discussed my opinions regarding
11 addiction and tobacco.

12 Q. Do you remember who the first lawyer was you
13 met with 12 years ago?

14 A. John Still.

15 Q. Where is Mr. Still located, if you know?

16 A. I'm not sure. North Carolina, I believe.

17 Q. Do you know what firm he's with?

18 A. Womble.

19 Q. And over the last 12 years how many
20 conversations have you had with Mr. Still?

21 A. Five or six.
22 Q. And what were those conversations about?
23 A. Sometimes very general conversations just
24 regarding addiction issues in general, sometimes
25 conversations regarding my specific opinions regarding
0010 tobacco smoking behavior.
1 Q. Anything else?
2 A. We discussed this case within the last couple
3 of weeks.
4 Q. Have you been retained in any other cases
5 besides this case to be an expert?
6 A. I believe so, yes.
7 Q. And what cases are those?
8 A. I don't know the names offhand. I remember
9 some of them. There's a Palmeri (sp) case that I've
10 been retained in. Maybe four or five cases that I've
11 looked at over the years.
12 Q. When did you look at your first case for the
13 tobacco companies?
14 A. I don't recall.
15 Q. Now, in those four or five cases, did they all
16 predate the Vasko case?
17 A. I think so, yes.
18 Q. And you --
19 A. When you say "predate," what does that mean
20 exactly?
21 Q. Meaning that your representation or -- I'm
22 sorry, your involvement with the tobacco companies as an
23 expert in those cases, those four or five cases predated
24 your involvement in the Vasko case?
25
0011 A. So is the Vasko case the last case that they
1 sent me, is that what you -- that I looked at?
2 Q. Sure.
3 A. I'm not sure. Vasko is a fairly recent case
4 that they sent me, but I'm not sure if I may not have
5 received a case after that.
6 Q. Now, in those four or five other cases that you
7 worked with tobacco on, did you give a deposition in any
8 of those cases?
9 A. No.
10 Q. Do you know why?
11 A. I have no idea.
12 Q. Were you ever listed as an expert in any of
13 those cases?
14 A. Yes.
15 Q. And which ones were you listed as an expert?
16 A. I don't know.
17 Q. Because when I asked you earlier about Exhibit
18 4 and whether --
19 A. Which is Exhibit 4?
20 Q. Exhibit 4 is the list of cases where you've
21 given trial testimony. I asked you if you had worked on
22 any other tobacco cases that were not listed in four,
23 and I believe you said no.
24 A. I'm not sure. I think we've clarified it, but
25
0012 I'm not sure. I thought perhaps you were asking me
1 whether I've given testimony. I did not mean to mislead
2 you.
3 Q. So to clarify it, you've worked with the
4 tobacco companies on five or six cases other than Vasko
5 in which you were paid as an expert by the tobacco
6 company?
7

8 MR. KEEHFUS: Object to the form.
9 A. Can you repeat the question?
10 BY MR. ZEBERSKY:
11 Q. Just so I'm clear, other than Vasko, you've
12 worked on five or six other cases for the tobacco
13 companies where you were a paid expert?
14 MR. KEEHFUS: Object to form.
15 A. I think I said four or five other cases, and I
16 was asked to provide opinions and paid for the time that
17 I spent working on the case.
18 Q. Now, if you were going to total up how much
19 money you were paid for those four or five cases other
20 than Vasko which you worked on for the tobacco
21 companies, how much would you have been paid?
22 A. To answer that question, I would have to
23 speculate. I would have to guess.
24 Q. Well, do you have an estimation?
25 A. No.
0013
1 Q. If you were going to guess, what would the
2 guess be?
3 MR. KEEHFUS: Object to the form. Don't guess,
4 Doctor. You're not going to guess.
5 MR. ZEBERSKY: You can't instruct him not
6 to answer unless it's privileged.
7 MR. KEEHFUS: I'm instructing him not to
8 guess or speculate. I think that's entirely
9 fair. If he has an estimate, that's fine, but
10 he's not to guess.
11 A. In order for me to produce a figure, I'm really
12 pulling it out of my hat. I have no idea as I sit here
13 today.
14 BY MR. ZEBERSKY:
15 Q. Do you know how many total hours you would have
16 worked on cases, those four or five other cases for the
17 tobacco companies other than Vasko?
18 A. No, because if I knew the total hours, then I
19 could tell you how much I was paid.
20 Q. What's your hourly rate, Doctor?
21 A. \$500 an hour.
22 Q. And how long has that been your hourly rate?
23 A. For at least the last seven, eight years.
24 Q. And do you charge \$500 an hour to individuals
25 that come in and take an hour of your time in your
0014
1 practice of psychiatry?
2 A. Close to that, yes.
3 Q. What do you charge per hour?
4 A. For a -- well, I don't charge per hour. I
5 charge for certain services that I provide. So, for
6 example, a psychiatric evaluation, that can take me
7 between 40 and 45 minutes, I charge \$315.
8 Q. Now, do you see patients for psychotherapy?
9 A. Yes, I do.
10 Q. And are their appointments normally an hour?
11 A. No. My appointments are typically a half hour.
12 Q. And how much --
13 A. Actually, 25 minutes.
14 Q. How much do you charge for 25 minutes of
15 psychotherapy?
16 A. 200.
17 Q. Could you show us what other documents you
18 brought here today?
19 A. These were just copies of some of the various
20 things that you've already showed me.

21 Q. I'm going to ask you what this document is?
22 A. This was a document that was sent to me by one
23 of the Womble attorneys. I guess it's kind of a
24 disclosure that they use to say who I am and what I'm
25 going to be talking about.

0015

1 Q. I'd like to list that as Exhibit 5.
2 (Thereupon, Plaintiff's Exhibit Number 5 was
3 marked for identification.)

4 BY MR. ZEBERSKY:

5 Q. Is all of the information on Exhibit 5 true and
6 accurate to the best of your knowledge?

7 A. Yes.

8 Q. Thank you. Doctor, when were you retained in
9 this case, if you know?

10 A. Is there a date on that thing?

11 Q. It says from March 8th, 2010.

12 A. So approximately March 2010.

13 Q. So you were hired in March of 2010 on the Vasko
14 case and from March 8th to October 5th you spent 58
15 hours, right?

16 A. Right.

17 Q. From October 5th to today, how many hours have
18 you spent on the Vasko matter?

19 A. An hour and a half this morning, four, five,
20 six hours yesterday, so eight hours.

21 Q. Now, the six hours that you spent yesterday,
22 what did you spend doing?

23 A. I collated a bunch of material that I knew I
24 would need for today, I went over some facts that I
25 wanted to remember for the deposition, and I had a

0016

1 discussion with some representatives from Womble.

2 Q. And how long did your conversations with the
3 representatives of Womble last?

4 A. From one until five.

5 Q. Four hours?

6 A. Correct.

7 Q. Do you remember who at Womble you spoke with?

8 A. I spoke with -- I don't remember everybody's
9 names. I'm sorry. I spoke with you (indicates).

10 Q. Was John Still one of them?

11 A. John was on the phone.

12 Q. You were pointing to someone in this room?

13 A. Yes. Her.

14 Q. What's her name?

15 A. I don't remember. I'm sorry.

16 Q. I'm sorry to put you on the spot.

17 MS. DALY: That's a really bad --

18 A. I apologize.

19 BY MR. ZEBERSKY:

20 Q. How many hours do you plan on spending on the
21 Vasko case from today through trial?

22 A. Well, I imagine that before it goes to trial I
23 will look over everything again. If anything new is
24 presented to me to review, I will be happy to review
25 that, but I think I've done most of the work that I need

0017

1 to do.

2 Q. So would you say it's another six hours or
3 more?

4 A. Less than that.

5 Q. Now, Doctor, looking at your CV, it says here
6 that you're a Diplomate, American Board of Psychiatry &
7 Neurology, correct?

8 A. Correct.

9 Q. And you have a subspecialty certification in
10 forensic psychiatry?

11 A. Yes.

12 Q. Geriatric psychiatry?

13 A. Yes.

14 Q. And addiction psychiatry; is that correct?

15 A. Yes.

16 Q. Are those three subspecialties the majority of
17 the work that you perform as a psychiatrist?

18 A. Most of the work that I do is general
19 psychiatry.

20 Q. And tell us what general psychiatry is.

21 A. General psychiatry is a medical subspecialty,
22 so I'm a medical doctor who treats human behavior and
23 disorders of brain functioning and as it relates to
24 behavior.

25 Q. And would that include people with
0018
1 schizophrenia, depression, bipolar disorder?

2 A. Yes.

3 Q. And you would say that the majority of your
4 practice today as you sit here is treating people with
5 those types of mental disorders?

6 A. Yes.

7 Q. And not treating people for geriatric
8 psychiatry, forensic psychiatry, or addiction
9 psychiatry?

10 MR. KEEHFUS: Object to the form.

11 A. I mean, I practice in South Florida. There's a
12 huge geriatric population here, and although they may be
13 depressed and schizophrenic and psychotic or whatever, a
14 lot of them are over 65, so I would say close to half of
15 my patients do fall into the geriatric realm.

16 As well, if you look at comorbidities of
17 substance-related disorders in my population, a great
18 many patients do suffer from substance-related disorders
19 as well. That would probably be about a third of my
20 practice, so it adds up to more than 100 percent because
21 there's a crossover between patients.

22 BY MR. ZEBERSKY:

23 Q. Now, what kind of substance abuse do you treat
24 in your professional career?

25 A. I treat all kinds of substance abuse including
0019
1 things like opiates, alcohol, tobacco. I also treat
2 behavioral addictions, things like compulsive overeating
3 or compulsive gambling.

4 Q. Anything else?

5 A. Occasionally amphetamine abuse.

6 Q. What percentage of the people that you treat
7 for substance abuse have opiate problems?

8 A. I've never thought of figuring that out.
9 Probably a quarter to a third.

10 Q. Now, currently today do you treat anyone
11 specifically for nicotine addiction?

12 A. No. Anybody that I'm treating for cigarette
13 behavior disorders have comorbidities that brought them
14 to my office.

15 Q. What do you mean by comorbidities?

16 A. Other problems that have brought them to the
17 office, other behavioral problems that have brought them
18 to the office.

19 Q. So it's true that you don't treat anyone solely
20 for nicotine addiction today?

21 A. Correct.
22 Q. Have you ever treated someone for solely
23 nicotine addiction in the past?
24 A. Yes.
25 Q. How many times?
0020
1 A. It's rare.
2 Q. Is it more than five?
3 A. Yes.
4 Q. More than ten?
5 A. Yes.
6 Q. More than 15?
7 A. Yes.
8 Q. More than 50?
9 A. Probably not.
10 Q. So between, let's say, 20 and 40; is that a
11 fair estimate?
12 A. Uh-huh. Excuse me. Yes.
13 MR. ZEBERSKY: Can we go off --
14 (A discussion was held off the record.)
15 MR. ZEBERSKY: Let's go back on.
16 BY MR. ZEBERSKY:
17 Q. Also, in looking at your CV, it doesn't
18 indicate that you have written any articles. Is that
19 true?
20 A. The CV does not indicate that I've written any
21 articles. I have to look at it.
22 Q. Sure.
23 A. Correct. The CV does not indicate I've written
24 any articles.
25 Q. Have you written any articles?
0021
1 A. Yes.
2 Q. What articles have you written?
3 A. These were papers that were presented related
4 to psychoacoustics and auditory perception.
5 Q. Psychoacoustics and auditory perception, does
6 that have anything to do with nicotine dependence?
7 A. No.
8 Q. Have you ever written anything that has
9 anything to do with nicotine dependence?
10 A. No, but I did a year of postgraduate studies at
11 the Montreal Neurological Institute before I entered
12 medical school and was involved with research projects
13 that related to behavior -- self-stimulation and
14 behavior in the nucleus accumbens of the brain which is
15 directly appropriate to behavior and reward issues that
16 can apply to nicotine.
17 Q. Now, in that study that you did, did the study
18 focus on the effects of nicotine on the brain?
19 A. No. It was on direct electrical stimulation to
20 the nucleus accumbens.
21 Q. And what's the name of the research project?
22 A. I don't know because I left, and my thesis
23 supervisor was upset because I left to go into medical
24 school so I don't know what happened to the research.
25 Q. If I wanted to find that research project, how
0022
1 would I go about doing that?
2 A. You would have to get the name of the head
3 researcher and do a MEDLINE search for it.
4 Q. What was the name of the head researcher?
5 A. I can't remember. It was a long time ago.
6 Q. Do you remember what you specifically did for
7 that research?

8 A. Yes, I do.
9 Q. Tell us.
10 A. I implanted electrodes into cat brains and
11 taught the cat brains to self-stimulate electrical
12 stimuli. We were looking at a phenomena called kindling
13 where after a certain amount of time with
14 self-stimulation an electrical pathway becomes
15 established that basically the stimulation occurs on its
16 own.
17 Q. And are we talking about stimulation, sexual
18 stimulation?
19 A. No.
20 Q. Just stimulation on the brain in general?
21 A. Correct.
22 Q. And did you utilize stimulation in coming from
23 nicotine as one of your uses in that research project?
24 A. No. As I explained to you, the cats had a
25 little electrode implanted in their brain connected to a
0023
1 switch, a lever that the cat could press that would
2 cause electricity to be sent to that electrode, and they
3 would learn very quickly to self-stimulate.
4 Q. So, in other words, the pressing of the lever
5 was pleasurable to the cat?
6 A. We don't know what the cats' experience was.
7 Q. But you would assume so?
8 A. That's a big assumption, actually. You're
9 anthropomorphizing.
10 Q. So why would a cat press a lever if it was not
11 pleasurable to the brain?
12 A. Well, to say that it was pleasurable assumes
13 that cats experience pleasure the way humans experience
14 pleasure and that's a risk in making that assumption.
15 No one can ask the cat what they were feeling.
16 What we know is that some type of pathway was
17 established that caused the cats to go back and
18 stimulate over and over again.
19 Q. In other words, after the cat stimulated its
20 brain long enough, the stimulation stayed in their brain
21 long enough regardless of pressing the lever?
22 A. No. The stimulation did not stay in the brain.
23 What happened is the cats developed epilepsy.
24 Q. Interesting. Have you ever worked in a smoking
25 cessation clinic?
0024
1 A. No.
2 Q. You don't work in one now, do you?
3 A. No.
4 Q. Have you ever written any articles on smoking
5 cessation?
6 A. No.
7 Q. Have you ever worked on any articles dealing
8 with -- well, strike that.
9 Other than the one research project that we
10 talked about dealing with cat brains, you haven't worked
11 on any other research project, correct?
12 A. In my entire career?
13 MR. KEEHFUS: Object to form.
14 BY MR. ZEBERSKY:
15 Q. In your entire career.
16 A. No. That's entirely incorrect.
17 Q. Well, tell us what other research projects
18 you've worked on.
19 A. I told you about the psychoacoustic research
20 that I worked on. That was my honors thesis for my

21 undergraduate studies. I've also been involved in
22 research related to certain -- the use of certain
23 antipsychotics, and my practice was a site for some of
24 those research projects.

25 Q. So, in other words, abuse of, let's say,

0025

1 Zyprexa, would that be one?

2 A. No, no. It was monitoring safety and efficacy.

3 Q. Of what kind of drugs?

4 A. Of Geodon.

5 Q. And who did you do that work for?

6 A. It was a big multi-center study.

7 Q. Other than the psychoacoustic research and the
8 research on Geodon and the cat brains, have you done any
9 other research projects?

10 A. Not that I recall.

11 Q. When did you do the psychoacoustic research,
12 was it in the '80s?

13 A. Yes.

14 Q. And when did you do the research project on
15 antipsychotic -- on the antipsychotic?

16 A. That was in the late '90s or early 2000.

17 Q. Do you also do work for insurance companies?

18 A. Yes.

19 Q. What kind of work do you do for insurance
20 companies?

21 A. I do medical reviews.

22 Q. And what do you mean by medical reviews?

23 A. I look at -- well, first of all, I do a lot of
24 work for insurance companies. One of the things I do is
25 medical reviews where I look at treatment provided to

0026

1 patients and the quality of the care and whether or not
2 the care is appropriate and medically necessary. I also
3 evaluate patients for disability companies. I do
4 independent medical examinations.

5 Q. What percentage of the work that you do,
6 medical reviews, IMEs are done for insurance companies
7 or Defendants versus the individual?

8 A. Okay. Almost all of it.

9 Q. And what percent of your practice is working
10 for insurance companies doing these IMEs?

11 A. A quarter. I'm sorry. Not the IMEs.

12 Everything together would be a quarter.

13 Q. Fair enough. Now, if a quarter of your
14 practice is working for the insurance companies, there's
15 three quarters left, obviously. What percentage of that
16 is with patients?

17 A. All of it, but even the work you do for the
18 insurance company, a lot of it involves patients.

19 Q. But for your treatment of patients, the three
20 quarters of your time is spent treating patients; is
21 that correct?

22 A. Yeah.

23 Q. And of that three-quarter percent what
24 percentage of your time is spent with purely
25 addiction-related issues?

0027

1 MR. KEEHFUS: Object to the form. You can
2 answer.

3 A. A fifth of the three quarters

4 BY MR. ZEBERSKY:

5 Q. So we're talking about maybe 15 percent of your
6 time is treating addiction?

7 A. No. Purely addiction itself. I've already

8 explained to you that I deal with addictions as
9 comorbidities all the time.

10 Q. So purely addictions it's 15 percent of your
11 time, and with comorbidities what percentage of your
12 time if you included that?

13 A. Probably close to half.

14 Q. Now, it says that you're a forensic
15 psychiatrist. Is that the work that you do for
16 insurance companies pretty much?

17 A. Correct, but I also do things like testamentary
18 capacity evaluations. In the last few months, I did a
19 case where a woman was upset at her guardian and wanted
20 her guardianship removed, and I evaluated her and
21 appeared and testified for her.

22 Q. Now, it says on something that I read that
23 you've worked for I think the Attorney General?

24 A. Yes.

25 Q. Tell me what you did for the Attorney General.

0028

1 A. There was a big investigation of
2 Medicare/Medicaid fraud in the state of Florida, and
3 they sent me volumes and volumes of hospital records and
4 were interested in trends and whether people were being
5 hospitalized fraudulently.

6 Q. And what was your analysis?

7 A. There were problems. There were these
8 companies that were picking up old people from assisted
9 living facilities and placing them into hospitals where
10 they were kept involuntarily under very poor clinical
11 reasoning.

12 (Thereupon, Plaintiff's Exhibit Number 6 was
13 marked for identification.)

14 BY MR. ZEBERSKY:

15 Q. Showing you what's been marked as Exhibit 6,
16 do you recognize that document?

17 A. Oh, yeah. Sure.

18 Q. Is that the only document that you've
19 published?

20 MR. KEEHFUS: Object to the form.

21 A. I've already said there were other things I
22 published.

23 Q. You said that there was other research?

24 A. Correct. Yeah, this was a document that a
25 review organization asked me to do some research and

0029

1 present an opinion regarding vagal nerve stimulation, so
2 this was something that I prepared for them.

3 Q. And that article says that the insurance
4 company shouldn't pay for a certain type of test?

5 A. Well, it says --

6 MR. KEEHFUS: Object to the form.

7 A. That's not exactly what it says. That's kind
8 of a mischaracterization. Insurance companies can
9 decide to do what they want.

10 My opinion was that safety and efficacy were
11 not established adequately to support this as a
12 reasonable treatment. I thought it was still
13 experimental and required further investigation.

14 BY MR. ZEBERSKY:

15 Q. Ultimately was there future research done on
16 this type of test?

17 A. By?

18 Q. By anyone.

19 A. Yeah. There have been lots of opinions that
20 have been published including the Center for Medicare

21 and Medicaid which basically reached the same
22 determination.

23 Q. And currently are insurance companies paying
24 for this test?

25 A. Rarely.

0030

1 Q. Are you a member of any other organizations
2 that are not listed on your CV specifically relating to
3 addiction?

4 A. No, I don't think so.

5 Q. Is there an American Society of Addiction or
6 something along those lines that you know of?

7 A. Can you be more specific?

8 Q. American Society of Addiction Medicine?

9 A. Yes, there is.

10 Q. Are you a member of that?

11 A. No, I'm not.

12 Q. Why not?

13 A. The American Society of Addiction Medicine is a
14 group that was formed by family physicians to promote
15 treatment of outpatients with addictions.

16 They are pursuing recognition by the American
17 Board of Medical Specialties, so they want people who
18 become certified by the ASAM, the American Society of
19 Addiction Medicine, to be recognized as board certified
20 in addiction medicine.

21 In fact, at this time the only organization
22 that is recognized by the American Society -- the
23 American Board of Medical Specialties as addiction
24 specialty is addiction psychiatry, and since I obtained
25 the certification by the American Board of Psychiatry

0031

1 and Neurology in addiction psychiatry, I felt it was
2 redundant.

3 Q. So there was just no need to follow and join
4 that organization?

5 A. Correct. To follow?

6 Q. Follow what they do.

7 MR. KEEHFUS: Object to the form.

8 A. It's not a question of following what they do
9 or what they not do. It's a question of becoming a
10 member. I felt it was redundant to become a member.

11 BY MR. ZEBERSKY:

12 Q. Have you ever given any lectures on smoking
13 cessation?

14 A. No.

15 Q. Nicotine addiction, any lectures on nicotine
16 addiction?

17 A. No.

18 Q. Any CLE -- well, any -- strike that.

19 Any continuing medical education courses on
20 nicotine addiction?

21 A. No.

22 Q. Smoking cessation?

23 A. No.

24 Q. The effect of nicotine on the brain?

25 A. No.

0032

1 Q. Any lectures on the effect of nicotine on the
2 brain?

3 A. No.

4 Q. Let's go through the other documents that you
5 brought here today.

6 A. This binder includes my notes as I went through
7 all the depositions that I reviewed and all the

8 documentation that I reviewed, and what I did in most
9 cases was I had a little transcription machine in front
10 of me and as I was going through something I would
11 notate things that I wanted to remember and then my
12 transcriptionist typed them out for me.

13 As well, it includes a few pages that I've
14 entitled a summary of my opinion because typically in a
15 deposition such as these I'm asked what my opinions are,
16 and I want to remember everything that I want to say.

17 Q. Did you bring a copy of that book for me?

18 A. No.

19 Q. Why not?

20 A. Because I didn't. I was asked to produce it.
21 I wasn't asked to make you a copy.

22 Q. Thank you. Now, would it be safe to say that
23 everything that is included in this binder are the items
24 in the depositions that you felt were relevant for your
25 opinion here today?

0033

1 MR. KEEHFUS: Object to the form.

2 A. I've already said as I went through the
3 depositions I wanted to remember things, and this was my
4 way of remembering. The depositions take up two big
5 boxes, and I didn't think that this was a memory quiz.

6 BY MR. ZEBERSKY:

7 Q. Well, was there information that is not in this
8 binder from the depositions that you feel are important
9 and relevant in rendering your opinions here today?

10 A. Yes. Certainly I went through every
11 deposition, every line of every deposition.

12 Q. I didn't ask you that question. What I asked
13 you was whether or not there was any relevant
14 information in the depositions that you did not put in
15 this notebook?

16 MR. KEEHFUS: Object to the form.

17 A. Yes.

18 MR. ZEBERSKY: Can we make a copy of this? Do
19 you guys have any set-up here for them to make
20 copies?

21 MR. KEEHFUS: Not that I know of.

22 (Thereupon, Plaintiff's Exhibit Number 7 was
23 marked for identification.)

24 BY MR. ZEBERSKY:

25 Q. Showing you what's been listed as Exhibit 7,

0034

1 can you look at that, please? I'm sorry for flinging it
2 at you.

3 A. That's okay. Yes.

4 Q. Would you tell us what Exhibit 7 is?

5 A. As I said previously, this is a paper that I
6 prepared summarizing briefly my opinions so I wouldn't
7 forget any of the salient points that I wanted to make
8 in the deposition.

9 Q. So does Exhibit 7 have all of the opinions
10 which you expect to give in this case?

11 MR. KEEHFUS: Object to the form.

12 A. It does have the salient things that I wanted
13 to remember so I wouldn't leave anything out.

14 BY MR. ZEBERSKY:

15 Q. You told us this was your opinion summary.

16 A. Correct.

17 Q. I'm just trying to figure out whether or not
18 your opinion summary contains all of the opinions which
19 you intend to give in this case?

20 MR. KEEHFUS: Object to the form.

21 A. I think it's a reasonable summary of my
22 opinions. If you ask me a question regarding something
23 that's not on that paper that I feel competent to
24 provide you an opinion, I would be more than happy to do
25 so.

0035

1 (Thereupon, Plaintiff's Exhibit Number 8 was
2 marked for identification.)

3 BY MR. ZEBERSKY:

4 Q. Showing you what's been marked as Exhibit 8,
5 would you tell us what that is, sir?

6 A. These are my notes on his military records,
7 medical records, and I believe there should be one that
8 also includes employment records. I also went over the
9 interrogatories.

10 Q. Can I see it for a second? Thank you. At the
11 top of Exhibit 8 it says "Medical Records
12 Non-Contributory." What does that mean?

13 A. There were no details in there that were
14 speaking to his use of -- his cigarette smoking
15 behavior.

16 Q. And then there's something that says
17 "Indianapolis Life Insurance Company, Application for
18 Insurance"?

19 MR. KEEHFUS: Back up. You said "medical
20 records." Are you sure you didn't mean
21 "military records"?

22 MR. ZEBERSKY: Military records.

23 MR. KEEHFUS: You said "medical." I wanted
24 to make sure it's clear. Sorry to interrupt.

25 A. Ask the question.

0036

1 BY MR. ZEBERSKY:

2 Q. Did you review the Indianapolis Life Insurance
3 Company application?

4 A. Yes.

5 Q. Was there anything relevant in that document --

6 MR. KEEHFUS: Object to the form.

7 Q. -- for your opinions?

8 A. Could I see it?

9 Q. Sure.

10 A. As I noted in this paper, he stated that he did
11 not drink alcoholic beverages in that insurance company
12 application, and I thought that was significant.

13 Q. Was that the only thing of significance that
14 you found in the Indianapolis Life Insurance
15 application?

16 MR. KEEHFUS: Object to the form.

17 A. No. He also had indicated in that application
18 he was a nonsmoker.

19 Q. And why is that important to you?

20 A. It's important to know that he wasn't -- that
21 he considered himself a nonsmoker on August 31st, 1981.

22 Q. Do you believe that he was a nonsmoker on
23 August 31st, 1981?

24 A. According to other fact testimony in the case,
25 he had stopped smoking for a day, so they thought he was

0037

1 a nonsmoker.

2 Q. Other than the fact that he stopped smoking for
3 a day, is there anything of relevance in the
4 Indianapolis Life application for you in arriving at
5 your opinions here today?

6 MR. KEEHFUS: Object to the form.

7 A. I think it's important that he would

8 characterize himself in a way that was advantageous to
9 him at the moment.
10 BY MR. ZEBERSKY:
11 Q. And why is that?
12 A. Because it leads me to understand his
13 personality function.
14 Q. And what is your understanding of his
15 personality function based on his response in the
16 Indianapolis Life Insurance Company application?
17 A. You know, as you've alluded, it's unlikely that
18 he was a nonsmoker at the time he said he was a
19 nonsmoker, and that calls into question other statements
20 that he may make to people.
21 Q. So, in other words, if he lied on the
22 Indianapolis Life Insurance Company application, he'd
23 lie to anybody?
24 MR. KEEHFUS: Object to the form.
25 A. That's not what I said. I said it's just
0038
1 another piece of data that a forensic psychiatrist would
2 use to understand a person's personality.
3 BY MR. ZEBERSKY:
4 Q. Was there any other forensic data that you
5 reviewed which leads you to believe that Mr. Vasko would
6 be less than honest to someone?
7 MR. KEEHFUS: Object to the form.
8 A. You know, I reviewed boxes and boxes of
9 records. To pull out one specific fact or another is
10 really unfair as I'm sitting here right now.
11 Q. I'm not looking to be unfair to you. Do you
12 have all the medical records here?
13 A. Yes.
14 Q. Why don't you look through them all and tell me
15 which ones indicate to you that John Vasko is not
16 telling the truth?
17 A. Well, you know, there are statements in the
18 medical record regarding his use of cigarettes that from
19 moment to moment the quantity of cigarettes that he's
20 smoking is different.
21 You know, there are statements in the record
22 about his alcohol use that are different from evaluating
23 doctor to evaluating doctor, so he clearly gave
24 different information to different people.
25 Q. Now, does his giving different information to
0039
1 different people have any bearing at all on your opinion
2 as to whether or not John Vasko was addicted to
3 nicotine?
4 A. No.
5 MR. ZEBERSKY: Off the record.
6 (A discussion was held off the record.)
7 BY MR. ZEBERSKY:
8 Q. Doctor, what other documents did you bring here
9 today?
10 A. I brought -- this binder includes basic
11 bibliography articles that I looked at and my notes from
12 the articles, as well as some independent statistics
13 that I wanted at hand in case I needed them for the
14 deposition. I also have a copy of the appropriate pages
15 of the DSM because I didn't want to schlep the entire
16 DSM.
17 Q. Fair enough, like I did.
18 A. Right.
19 Q. Would it be fair to say that the documents in
20 what I am going to mark as Exhibit 9 are documents which

21 you relied upon in giving your opinions here today?
22 (Thereupon, Plaintiff's Exhibit Number 9 was
23 marked for identification.)
24 A. No. They are documents that I used to inform
25 my opinion, but I relied on my experience, education,
0040
1 continuing medical education and the like.
2 BY MR. ZEBERSKY:
3 Q. But you also relied on the documents which are
4 in Exhibit Number 9, correct?
5 A. No.
6 Q. So you didn't rely --
7 A. I used them to inform my opinion. In legalese
8 there's a difference between reliance documents and
9 other documents. I just want to be clear.
10 Q. Would you agree with me that the authors of the
11 articles in Plaintiff's Exhibit 9 are authoritative in
12 the area of nicotine addiction?
13 A. No.
14 MR. KEEHFUS: Object to the form.
15 BY MR. ZEBERSKY:
16 Q. Why not?
17 A. They've written specific articles which are
18 part of the knowledge base of the science of medicine.
19 No one article, I think, should be recognized as
20 authoritative in any way, particularly as it applies to
21 a specific patient or a specific person.
22 Q. So you believe that there are no articles in
23 the literature today which are authoritative on nicotine
24 addiction?
25 A. Correct.
0041
1 Q. Although you have articles which you utilized
2 in assisting you in coming up with your opinions in this
3 case, correct?
4 A. I utilized them to remind myself of things I've
5 already learned, to refresh my memory, to delve a little
6 deeper into things like statistics and receptor
7 pharmacological type issues, but I didn't rely on them
8 to form my opinions.
9 Q. Now, Doctor, if you haven't done any research
10 in nicotine addiction, if you haven't written on
11 nicotine addiction and you haven't lectured on nicotine
12 addiction, how would you have learned enough information
13 about nicotine addiction to give any opinions here
14 today?
15 MR. KEEHFUS: Object to the form.
16 A. I went to medical school four years, I did a
17 year of basic neurobiological research, I did a general
18 internship where I rotated through various
19 subspecialties of medical practice, I did a three-year
20 residency in psychiatry where I studied human behavior
21 as it applied to general issues and as it applies to
22 compulsive behaviors like addictive behaviors, and I've
23 been in practice now for 20 years.
24 Q. And other than what you've just described to
25 us, there is no other basis for your understanding of
0042
1 nicotine addiction; is that correct?
2 MR. KEEHFUS: Object to the form.
3 A. I think that that's a fairly comprehensive
4 basis. It also includes the educational programs I've
5 gone to, my own continuing medical education, my
6 interaction with patients over the years.
7 BY MR. ZEBERSKY:

8 Q. Tell us what educational programs you attended
9 for nicotine addiction or anything involving
10 tobacco-related illnesses.

11 A. I attended a variety of programs related to
12 addiction issues, for example, certain courses having to
13 do with addiction psychiatry, particularly when I
14 initially certified. I took the courses when I
15 recertified. I did a lot of individual education in
16 order to pass the test again for recertification.

17 Q. Tell us the specific courses that you took
18 which related to nicotine addiction or any
19 tobacco-related illness.

20 A. I don't know the titles of the courses.

21 Q. How many courses have you taken that dealt with
22 nicotine addiction or tobacco-related illnesses?

23 A. Five to ten over the years.

24 Q. And how many of those five to ten dealt solely
25 with nicotine-related illnesses -- or I'm sorry,

0043

1 nicotine addiction or tobacco-related illnesses?

2 A. Very few. They would relate to many
3 addictions.

4 Q. Could it have been none, Doctor?

5 A. Yes, it could have been none.

6 Q. So you don't feel that Neal Benowitz is
7 authoritative in the field of nicotine addiction?

8 A. No.

9 Q. You don't believe that Michael Cummings is
10 authoritative in the field of nicotine addiction?

11 A. Correct.

12 Q. Do you believe that anyone that was involved in
13 the 1988 Surgeon General's report is authoritative on
14 the issue of nicotine addiction?

15 MR. KEEHFUS: Object to the form.

16 A. Well, I would say that they're knowledgeable
17 but not authoritative.

18 Q. Well, what's your understanding of being
19 authoritative?

20 A. Someone whose word is infallible as it relates
21 to a particular subject.

22 Q. Do you think that the 1988 Surgeon General's
23 report is authoritative on nicotine addiction?

24 MR. KEEHFUS: Object to the form.

25 A. No, I do not.

0044

1 BY MR. ZEBERSKY:

2 Q. Do you think the DSM-IV-TR is authoritative on
3 nicotine addiction?

4 MR. KEEHFUS: Object to the form.

5 A. No, I do not.

6 (A discussion was held off the record.)

7 BY MR. ZEBERSKY:

8 Q. Now, of the articles that you provided to me in
9 Plaintiff's Exhibit 9, what is the purpose of having
10 those articles in your file?

11 A. So they're close at hand in case I'm asked
12 questions that pertain to things like statistics that
13 are included in the article, in case I need to refresh
14 my memory here today regarding a particular subject, and
15 since I have a few cases that I'm involved in with the
16 tobacco companies, I feel it's best that I'm well
17 organized as things go forward.

18 Q. Now, Doctor, if you didn't rely on any of the
19 articles in Plaintiff's Exhibit 9 and you don't find any
20 of the articles in Plaintiff's Exhibit 9 authoritative,

21 why would you utilize those articles in giving any
22 testimony here today?
23 MR. KEEHFUS: Object to the form.
24 A. Well, I think I already answered that. I mean,
25 I'm going to use them if you ask me, for example, a
0045
1 specific question about a statistic, I'd like to be able
2 to find that answer for you. It's for reference.
3 Q. So why would the statistics in Plaintiff's
4 Exhibit 9 be important to you in rendering your opinions
5 here today if none of those statistics are written by
6 someone who is authoritative or written by someone that
7 you would rely upon?
8 MR. KEEHFUS: Object to the form.
9 A. I think that something can be very useful
10 without me conceding that it's authoritative.
11 BY MR. ZEBERSKY:
12 Q. I understand that you're not conceding that any
13 of the articles in Exhibit 9 are authoritative. I'm
14 just wondering why you would utilize something that
15 wasn't authoritative in rendering your opinions here
16 today?
17 MR. KEEHFUS: Object to the form.
18 A. Because the articles reflect the literature and
19 they reflect the thinking of the authors at the time
20 that they published the articles and that's important.
21 Q. Why is that important, Doctor?
22 A. Because science advances with an exchange of
23 public ideas.
24 Q. So would you agree with me that there may be
25 statements from other authors that will contradict
0046
1 what's in Plaintiff's Exhibit Number 9?
2 MR. KEEHFUS: Object to the form.
3 A. There may or may not be.
4 BY MR. ZEBERSKY:
5 Q. You just don't know?
6 A. Well, there may or may not be. It's --
7 So the answer is you don't know?
8 MR. KEEHFUS: Object to the form.
9 A. The question is so broad that it's impossible
10 to answer.
11 Q. Do you know if there are any authors out there
12 that have information that contradict what's in
13 Plaintiff's Exhibit 9?
14 MR. KEEHFUS: Object to the form.
15 A. Once again, your question is so broad that it's
16 impossible to answer. Might there be a statement that
17 contradicts one line in one of the many articles that I
18 produced there? It's very possible. It's likely that
19 there is.
20 Q. So the answer is yes?
21 MR. KEEHFUS: Object to the form.
22 A. It's possible. I don't know one way or
23 another.
24 Q. You just won't give me a yes or no answer, will
25 you, Doctor?
0047
1 MR. KEEHFUS: Object to the form.
2 BY MR. ZEBERSKY:
3 Q. Is that true?
4 MR. KEEHFUS: Object to the form.
5 A. I think I've answered as best I can.
6 Q. Who gave you the documents that are in
7 Plaintiff's Exhibit 9?

8 MR. KEEHFUS: Object to the form.

9 A. A mixture. Some of the articles I found myself
10 doing literature reviews. Some articles I wanted to get
11 a hold of, but because I don't have access to a medical
12 library I had to ask the researcher to move on but to
13 provide them for me, and some articles they provided to
14 me years ago.

15 Q. How many years ago did Womble start providing
16 you articles on nicotine addiction?

17 A. Probably around ten years.

18 Q. And Womble works for R.J. Reynolds, the
19 Defendant in this case, correct?

20 A. Correct.

21 Q. Can you tell us what articles in Exhibit 9 were
22 provided to you by Womble Carlyle?

23 A. For example, I know the Glynn editorial in
24 JAMA, May 1990, I wanted to get a hold of and I couldn't
25 get a copy. Because I'm a member of the AMA, I have

0048

1 access to the -- what's it called, the archives, the
2 JAMA archives, but for some reason it wouldn't give me
3 -- it gave me access to an article but it wouldn't give
4 me access to an editorial that was written about the
5 article, so I asked them if they could get me that and
6 they provided it for me.

7 Q. Do you have the article on that issue or just
8 the editorial?

9 A. I have both.

10 Q. Could you tell us what article it was?

11 A. It's the Fiore, JAMA May 23rd, 1990, Volume
12 263, Number 20, Page 2760, Methods Used to Quit Smoking
13 in the United States.

14 Q. If you can continue looking through and let us
15 know what articles were given to you by R.J.R.'s lawyers
16 in this case.

17 A. This one I don't remember. The Donny article
18 from Drug and Alcohol Dependence, 2007, they gave to me.

19 The Hughes article, February 2006, they gave to
20 me. The Wewer article, 2003, they gave to me. This one
21 I don't remember. This was mine. This is mine. I
22 think this was mine. Brody from Biological Psychiatry,
23 2004, they gave to me. Wonnacott, 1990, they gave me a
24 copy. Bendwell, 1988, they gave me a copy. Pontierri
25 from Nature, 1996, I don't recall. Sorry. Cannon,

0049

1 Physiology and Behavior, 2004, they gave to me.
2 Dejiara (sp). No. They didn't give me that one. The
3 rest are all mine.

4 Q. Now, all those articles that you just described
5 to the court reporter are the articles that were given
6 to you by Womble Carlyle, the lawyers for R.J.R. in this
7 case?

8 A. They were provided to me by them.

9 Q. Did you charge R.J.R. to read those articles?

10 A. Yes.

11 Q. Now, you've spent 58 hours at least through
12 October 5th on the Vasko case and you told us you spent
13 another six hours in the last two days. That would
14 bring us up to 64 hours, right, on the Vasko to today?

15 A. I'm not keeping track of the math, but I'll
16 assume you're correct. 58 plus six --

17 Q. Is 54, isn't two?

18 A. 64.

19 Q. So I'm right that you spent 54 hours on the
20 Vasko case, right, for today?

21 A. I think you mixed up the numbers.
22 Q. Am I right that you spent 64 hours on the Vasko
23 case for today?
24 A. Correct.
25 Q. All right. On the four or five other cases
0050
1 that you've worked on, did you spend more or less time
2 on those cases than you did in Vasko?
3 A. Less. I wasn't preparing for a deposition.
4 Q. How much time on average did you spend on each
5 one of those cases, the four or five that you looked at
6 before?
7 A. Less than ten hours.
8 Q. What journals do you subscribe to now with
9 regards to addiction?
10 A. I get the Journal of American Psychiatric
11 Association.
12 Q. Do you find that journal authoritative in the
13 area of psychiatry?
14 A. No.
15 MR. KEEHFUS: Object to the form.
16 A. No, I don't.
17 BY MR. ZEBERSKY:
18 Q. Have you ever found an article in that journal
19 that is authoritative on any of the issues that are
20 being written about?
21 MR. KEEHFUS: Object to the form.
22 A. No. Archives of General Psychiatry.
23 Q. Any other journals?
24 A. The journal of -- the American Journal of the
25 -- American Academy of Psychiatry and the Law.
0051
1 Q. Do you find any one -- either one of those two
2 journals authoritative in those specific areas?
3 MR. KEEHFUS: Object to the form.
4 A. No.
5 BY MR. ZEBERSKY:
6 Q. And again, none of the articles that were
7 written in any of those journals would be authoritative
8 with respect to what they were written about?
9 MR. KEEHFUS: Object to the form.
10 A. Correct.
11 Q. Okay.
12 A. And there's a few others. The titles of the
13 journals are escaping me as I'm sitting here right now.
14 Q. If none of those journals or their writers are
15 authoritative on what they're writing about, why do you
16 read those journals?
17 MR. KEEHFUS: Object to the form.
18 A. I want to keep abreast in the current research,
19 current thinking in the field.
20 Q. But what's the point with keeping current with
21 research in the field that's not authoritative?
22 MR. KEEHFUS: Object to the form.
23 A. The science of medicine is an ever evolving
24 field, and it's very important to keep abreast of how
25 the exchange of knowledge that's occurring if one wants
0052
1 to be current.
2 Q. But if you don't believe that any of that
3 exchange of knowledge is authoritative, why would you
4 rely on that in your treatment of human beings?
5 MR. KEEHFUS: Object to the form.
6 A. It helps to inform in my treatment. It's not
7 the only thing I rely on.

8 Q. I didn't ask whether it was the only thing you
9 relied on. I asked why you rely on it at all if it's
10 not authoritative?

11 MR. KEEHFUS: Object to the form.

12 A. It's one of the sources of knowledge that I use
13 in formulating how I treat my patients.

14 Q. Now, you mentioned earlier that there may be
15 some statistics in some of the articles written in
16 Plaintiff's Exhibit 9, right?

17 A. Yes, I did.

18 Q. Why would those statistics be important to you
19 in giving any of your opinions here today if those
20 statistics are not authoritative?

21 MR. KEEHFUS: Object to the form.

22 A. If certain information is generally accepted
23 and relevant, I don't want to be guessing. You know, I
24 gave statistics as an example.

25 Q. Well, if the statistics are generally accepted,
0053
1 wouldn't you agree with me that they're at least
2 authoritative with respect to what the statistic is?

3 MR. KEEHFUS: Object to the form.

4 A. No.

5 BY MR. ZEBERSKY:

6 Q. Why not?

7 A. Because I think the legal definition of
8 authoritative is very different than how doctors use
9 statistics in their practice.

10 Q. You know, Doctor, I'm not really asking you for
11 legal definitions. I'm asking you for just general
12 everyday understanding in how you practice psychiatry.
13 Fair enough?

14 A. Fair enough.

15 Q. Now, why would you be so concerned about what a
16 legal definition is as you sit here today giving us
17 opinions about whether John Vasko is addicted to smoking
18 cigarettes?

19 MR. KEEHFUS: Object to the form.

20 A. Because there's often a discordance between the
21 way doctors think and the way the legal system thinks.

22 Q. What is the discordance?

23 A. A difference.

24 Q. Why would you be concerned here today whether
25 or not you and I have a difference of opinion with

0054
1 regards to what words mean?

2 A. Oh, I'm not concerned. I just want to be
3 clear. I'm operating right now in your sphere so I'm
4 adapting myself to your sphere as part of my training.

5 Q. So if I was a doctor, you would give me
6 different answers to some of these questions?

7 MR. KEEHFUS: Object to the form.

8 A. Not at all. Not at all. The fact is no one is
9 an authority on how to treat my patients other than me.
10 I'm the only authority that matters when it comes to
11 treating my specific patient.

12 Q. Well, do you feel that there are people that
13 are authoritative in a field such as psychiatry?

14 MR. KEEHFUS: Object to the form.

15 A. No. There are people who are knowledgeable and
16 who impart their knowledge to other practitioners, but I
17 don't think any of those people would say that my
18 opinion is the only valid opinion.

19 Q. Do you think that Dr. Benowitz is knowledgeable
20 in the area of nicotine addiction?

21 A. I don't know him.
22 Q. You don't know him personally or you don't know
23 him at all, you've never heard the name before?
24 A. I've heard the name before.
25 Q. Where have you heard the name?

0055

1 A. I've heard the name. I've seen him referenced
2 in articles and books.
3 Q. Some of the articles that you've read?
4 A. Yes.
5 Q. Some of the articles that you actually find
6 informative?
7 A. Yes.
8 Q. What about Dr. Cummings, do you find any of the
9 information that he's written about informative?
10 A. Yes.
11 Q. Informative in the way where you would utilize
12 it in your treatment of patients?
13 A. Not necessarily. Informative in helping me
14 keep abreast with what people are thinking about the
15 field and formulate my own opinions in treatment
16 decisions that I make.
17 Q. We talked about you don't find the DSM-IV-TR
18 authoritative in the area of psychiatry, right?
19 MR. KEEHFUS: Object to the form.
20 A. Correct.
21 Q. So would it be safe to say that you don't find
22 the DSM-IV-TR authoritative with regard to diagnosing
23 people with nicotine addiction?
24 MR. KEEHFUS: Object to the form.
25 A. Correct.

0056

1 BY MR. ZEBERSKY:
2 Q. Why would a psychiatrist use DSM-IV-TR in the
3 diagnosis of nicotine addiction?
4 A. I don't think a psychiatrist would use the DSM
5 in making a diagnosis. We use the DSM when we have to
6 pick a diagnosis for a bill that we send to an insurance
7 company. For example, we use the DSM if we're in an
8 academic center and we're doing research and we want to
9 make sure that when we are talking about schizophrenia
10 that everybody else knows what we're talking about and
11 we're speaking the same language, but I think if you
12 read the DSM carefully including the cautions at the
13 beginning of the DSM, you see that they in fact caution
14 against using the DSM in making diagnoses. They
15 specifically say diagnosis is based upon clinical
16 expertise and not by any menu in a book.
17 Q. So you don't feel that utilizing the DSM --
18 scratch that.
19 You don't feel that the DSM should be utilized
20 in formulating opinions about whether or not people are
21 addicted to nicotine?
22 MR. KEEHFUS: Object to the form.
23 A. Correct. You also need to recognize, and it's
24 important for the jury to understand, that the DSM is
25 only one classification system. There are other

0057

1 classification systems that exist that are used in other
2 parts of the world.
3 BY MR. ZEBERSKY:
4 Q. What other classification systems are there
5 with respect to nicotine dependence or nicotine
6 addiction?
7 A. The ICD criteria.

8 Q. Do you rely on the ICD criteria in diagnosing
9 someone with nicotine addiction?

10 A. No.

11 Q. Do you rely on the Fagerstrom test in
12 diagnosing someone with nicotine addiction?

13 A. Absolutely not.

14 Q. Do you rely on the smoking heaviness test to
15 arrive at your opinion with respect to someone being
16 nicotine addicted?

17 MR. KEEHFUS: Object to the form.

18 A. No, I do not.

19 Q. So what you rely upon in arriving at whether
20 someone is nicotine addicted are your own skills as a
21 clinician in diagnosing people with that type of
22 disorder; is that fair to say?

23 A. I rely on a careful clinical history,
24 examination, and my years of expertise in treating
25 patients.

0058

1 MR. ZEBERSKY: Could you read those back to me,
2 please.

3 (The portion referred to was read by the
4 reporter as above recorded.)

5 THE WITNESS: Could I take a break to use the
6 bathroom?

7 MR. ZEBERSKY: Sure.

8 (A recess was taken, after which the
9 following proceedings were had:)

10 BY MR. ZEBERSKY:

11 Q. So, Doctor, would it be safe to say that your
12 careful medical -- strike that.

13 Is it safe to say or fair to say that you rely
14 upon your careful clinical history, your exam, and your
15 years of experience in treating patients in determining
16 whether someone has nicotine addiction?

17 A. Well, those are generic things that I rely on
18 in treating all my patients. In coming to a diagnosis,
19 formulating a treatment plan, it would include patients
20 who I'm treating for addictions. It's not exclusive by
21 any means.

22 Q. Well, what else would you utilize in addition
23 to the careful clinical history, examination, years of
24 experience and treating patients with nicotine addiction
25 to diagnose someone with nicotine addiction?

0059

1 A. Well, for example, I mean, oftentimes patients
2 are referred to me by other clinicians and I would get
3 clinical information from the other clinicians when they
4 refer patients.

5 Q. So you would rely, in addition to those three
6 elements, on information that you would receive from
7 other clinicians, correct?

8 A. Correct.

9 Q. And that would be through conversations with
10 the other clinician?

11 A. Or records that may be sent to me.

12 Q. Is there anything that you rely upon in
13 arriving at your determination as to whether or not
14 someone was nicotine addicted?

15 A. Well, you're speaking specifically about
16 nicotine addiction. I'm speaking about all patients in
17 general. I don't think I could say there's certain
18 things I use for addictions and certain things I use for
19 other things.

20 I also get collateral information oftentimes

21 speaking to friends, family, other interested people
22 involved with patients that I treat.

23 Q. And those would be conversations with family
24 members or other interested persons?

25 A. Correct.

0060

1 Q. Is there anything else?
2 A. It's not uncommon that I'll order diagnostic
3 studies.
4 Q. So that would be testing?
5 A. Correct.
6 Q. Anything else?
7 A. No. I don't think so.
8 Q. Were you able to do a careful clinical history
9 with respect to John Vasko?
10 A. I was able to do a forensic history. Forensic
11 psychiatrists often don't have the luxury of having a
12 patient in front of them so we rely on things like
13 medical records that are available to us, like sworn
14 testimony from people who are involved in the case, and
15 that's generally accepted within the standard of
16 forensic psychiatry.
17 Q. My question is, did you do a careful clinical
18 history of Mr. Vasko?
19 A. No.
20 Q. Did you do an exam of Mr. Vasko?
21 A. No.
22 Q. You couldn't because he's dead, right?
23 A. Correct.
24 Q. Obviously, you utilized your years of expertise
25 in treating patients in arriving at your opinion as to
0061
1 Mr. Vasko's addiction, right?
2 A. Correct.
3 Q. Did you do any diagnostic testing with regards
4 to Mr. Vasko?
5 A. No, but there was diagnostic testing in the
6 record.
7 Q. What diagnostic testing was there with respect
8 to nicotine addiction?
9 A. There's no specific diagnostic testing that one
10 would do for nicotine.
11 Q. Was there any diagnostic testing that you
12 utilized with respect to John Vasko in arriving at your
13 opinion as to whether Mr. Vasko was nicotine addicted?
14 A. No.
15 Q. Now, you talked about collateral information,
16 right?
17 A. Correct.
18 Q. Was there any collateral information that you
19 utilized in determining whether or not Mr. Vasko was
20 nicotine addicted?
21 A. Yes.
22 Q. And what collateral information did you
23 utilize?
24 A. There was a great deal of sworn testimony, fact
25 witnesses.
0062
1 Q. Sworn testimony by way of depositions?
2 A. Correct.
3 Q. Was there any other collateral information
4 other than the testimony and the depositions that you
5 relied upon as collateral information in arriving at
6 your opinion as to whether John Vasko was addicted to
7 nicotine?

8 A. There were his medical records.
9 Q. Other than the medical records?
10 A. I reviewed the employment records. I reviewed
11 his military records.
12 Q. Could you point to any medical record which was
13 important to you in arriving at your determination as to
14 whether or not Mr. Vasko was nicotine addicted or
15 addicted to nicotine?
16 MR. KEEHFUS: Object to the form.
17 A. There's a great many references to his smoking
18 behavior in the medical record including estimates of
19 his use which I utilized in formulating my opinions.
20 BY MR. ZEBERSKY:
21 Q. Now, what is your opinion as to how much John
22 Vasko smoked daily?
23 A. The data in the medical record is all over the
24 place regarding how much he smoked, anywhere from a pack
25 a day to a pack and a half a day to two packs a day at
0063
1 one point. I think it's reasonable to conclude that for
2 most of his smoking career, if you want to put it that
3 way, he smoked about a pack and a half a day.
4 Q. When did John Vasko start smoking cigarettes?
5 A. Approximately 12 years old.
6 Q. And you agree with that in arriving at your
7 determination as to whether or not John Vasko was
8 addicted to nicotine?
9 MR. KEEHFUS: Object to the form.
10 A. I have no reason to not accept that.
11 BY MR. ZEBERSKY:
12 Q. And since the age of -- what did we say? 12?
13 -- when did John Vasko become a daily smoker?
14 Let me ask this question first: Do you know
15 what a daily smoker is?
16 A. I think it's self-explanatory. A person who
17 smokes every day.
18 Q. Fair enough. Do you know when John Vasko
19 became a daily smoker?
20 A. No.
21 Q. Wouldn't that be something that would be
22 important to you in arriving at your determination as to
23 whether or not Mr. Vasko was addicted to nicotine?
24 A. Well, we know that at some point in time he was
25 a daily smoker. It really is not important to his
0064
1 status, for example, in 1990 whether he was a daily
2 smoker in 1960.
3 Q. Would you agree with me that --
4 MR. KEEHFUS: Were you done with your answer?
5 THE WITNESS: Yeah.
6 MR. KEEHFUS: Okay.
7 BY MR. ZEBERSKY:
8 Q. Would you agree with me that it's more likely
9 than not that John Vasko was a daily smoker as of 1960?
10 MR. KEEHFUS: Object to the form.
11 A. Yes.
12 Q. Would you agree with me that it's more likely
13 than not that John Vasko was a daily smoker by the time
14 he was 18 years old?
15 A. Yeah. I'm not sure when he entered the
16 military though. I would say at that point.
17 Q. Would you agree with me that it's more likely
18 than not that John Vasko was smoking a pack to a pack
19 and a half of cigarettes a day in 1960?
20 MR. KEEHFUS: Object to the form.

21 A. I don't know. I don't know the exact quantity
22 he was smoking in 1960.

23 BY MR. ZEBERSKY:

24 Q. So you have no opinion as to how much he was
25 smoking -- John Vasko was smoking back in 1960?

0065

1 A. I'm saying as I sit here this moment at this
2 second I don't remember the testimony about how much he
3 was smoking in 1960.

4 Q. Is there anything that would help you refresh
5 your recollection?

6 A. If I could have a look at my notes for a few
7 minutes.

8 Q. Go right ahead.

9 (Interruption.)

10 (A discussion was held off the record.)

11 A. I'm forgetting the question.

12 (The portion referred to was read by the
13 reporter as above recorded.)

14 BY MR. ZEBERSKY:

15 Q. Is it more likely than not that John Vasko was
16 smoking more than a pack of cigarettes a day in 1960?

17 A. There are references in medical records, the
18 Gulf Family Practice medical records, indicating that
19 when the doctor took a history there he said that he had
20 been smoking a pack and a half since a young age, since
21 age ten as a matter of fact.

22 His wife reported that he was smoking a lot
23 when she met him, although she did not provide precise
24 information about how much he was smoking, so there's
25 information to suggest that kind of use at that point in

0066

1 time.

2 Q. Now, Doctor, before the Vasko case how many
3 times have you utilized forensic psychiatry to determine
4 whether or not someone was addicted to nicotine?

5 A. Four or five times.

6 Q. And those were all -- those four or five times
7 other than Mr. Vasko were all for the Womble Carlyle law
8 firm, correct?

9 A. Yes.

10 Q. The folks who represent R.J.R.?

11 A. Correct.

12 Q. And those four or five times that you reviewed
13 records other than Mr. Vasko, how many times did you
14 find that the individual was addicted to nicotine?

15 A. Probably about half of the time.

16 Q. So two of those four times, if it was four, you
17 would have found that the person was addicted to
18 nicotine, right?

19 A. Correct.

20 Q. Would you agree with me, Doctor, that it's more
21 difficult to determine whether someone was addicted to
22 nicotine based on forensic psychiatry as opposed to
23 actually treating a patient?

24 A. Not necessarily.

25 Q. Why not?

0067

1 A. If one has adequate information, adequate
2 medical records, adequate witness reports, one can in
3 fairly good comfort make a determination.

4 Q. What would adequate witness statements be for
5 you, as a forensic psychiatrist, in determining whether
6 someone was addicted to nicotine?

7 A. I mean, I think a good clear description of a

8 person's smoking behavior over time from a reliable
9 source.

10 Q. And do you feel that you have that in the John
11 Vasko case?

12 A. I think adequate to come up with an opinion,
13 yes.

14 Q. Would it be adequate to treat someone with
15 nicotine addiction?

16 A. I generally don't treat people that I've not
17 evaluated.

18 Q. When you treat someone for nicotine addiction,
19 could you tell us what you do? I mean, obviously the
20 person comes in and says, "I'm addicted to smoking and I
21 want to stop," right?

22 A. Uh-huh.

23 Q. What do you do as a clinician?

24 A. Excuse me. Yes. The first thing I do is take
25 a history from the person, find out about their medical
0068 status, their underlying psychiatric status, try to get
1 an understanding of their personality, their
2 motivations, why they've shown up now as opposed to some
3 other time in their life, and then try to reach a
4 diagnostic formulation.

5
6 Once a diagnosis is made, you then have to have
7 a talk with the patient regarding their status and
8 motivation to really effect change in their life to
9 reach the goal that they come in stating they want help
10 with.

11 Assuming that patients are presenting for good
12 reason with a good clear motivation, there are a variety
13 of treatment interventions that can be accomplished to
14 help them with smoking behavior. The first thing would
15 be --

16 Q. Let me stop you and let's go over some of that
17 because you've given us a lot of information. Is that
18 fair to stop you right now, Doctor?

19 A. Okay. You do need to understand I'll probably
20 forget everything I wanted to say.

21 Q. Go ahead and say everything you wanted to say.

22 A. The first intervention that a physician can use
23 in dealing with a patient with addictive behavior is
24 psychoeducation, a frank discussion of the risks
25 involved and continuing to engage in a behavior of what
0069 would be involved in bringing that behavior under
1 control and the fact that although certain behaviors may
2 seem insurmountable, the facts show that such behaviors
3 are in fact not insurmountable at all and with
4 appropriate motivation and support patients typically do
5 well in stopping smoking behavior.

6 Research seems to suggest that just the act of
7 a doctor sitting down with a patient and intervening in
8 smoking behavior is a very useful intervention in
9 helping patients make the decision to quit smoking.
10 Then, as with all addictions, it's very important to
11 elicit support from the patient's support system in
12 order to optimize the chances of success. This is
13 things like involving friends, family, loved ones,
14 spouse in supporting a person's decision and in
15 effecting change in the psychosocial environment to
16 promote success in quitting.

17
18 The patient can then be referred to
19 community-based resources which are available such as
20 community-based smoking cessation programs oftentimes

21 modeled on 12-step programs. Patients can also be given
22 somatic interventions.

23 Q. What is that?

24 A. Things like nicotine replacement therapy, for
25 example, things like certain pharmacological agents that

0070

1 have been suggested to be useful in helping people stop
2 smoking, things like Wellbutrin, Zyban, Chantix, all of
3 which are useful in treating cigarette smoking.

4 Q. Would you ever treat a somatic with just a
5 psychological -- or pharmaceutical drug who wasn't
6 addicted to nicotine?

7 MR. KEEHFUS: Object to the form.

8 A. Can you clear up the question a little bit?

9 BY MR. ZEBERSKY:

10 Q. Sure. Would you ever, as a psychiatrist, ever
11 prescribe Wellbutrin or Zyban to an individual if they
12 were not addicted to nicotine?

13 A. Yes.

14 Q. Why?

15 A. Because it's useful and it's worked.

16 Q. Okay.

17 A. And then finally there are behavioral
18 interventions, things like cognitive behavioral
19 psychotherapy and other behavioral techniques that you
20 can give people to help them over the hurdles involved
21 in quitting smoking.

22 Q. Would you agree with me that when someone comes
23 in to quit smoking that it's important to figure out
24 whether or not they're addicted first?

25 A. Not necessarily.

0071

1 Q. Why not?

2 A. Because you're dealing with the behavior, and
3 there are people who have a hard time stopping who
4 aren't addicted.

5 Q. So you would agree with me that it's not always
6 important to determine whether someone is addicted to
7 nicotine in you attempting to help them quit smoking?

8 MR. KEEHFUS: Object to the form.

9 A. Say it again. There's a lot of negatives in
10 that statement.

11 BY MR. ZEBERSKY:

12 Q. Okay. You would agree with me that it is not
13 necessarily important for you as a clinician to
14 determine whether someone is addicted to nicotine in
15 your treatment of an individual?

16 A. Correct. It's not always important.

17 Q. Do you currently treat any individuals that are
18 addicted to nicotine?

19 A. Yes.

20 Q. How many?

21 A. Probably between 20 and 50.

22 Q. What makes those 20 to 50 people addicted to
23 nicotine?

24 A. These are people who have compulsive smoking
25 use behavior, who have tried unsuccessfully on a variety

0072

1 of occasions to stop smoking, who, when they stop
2 smoking, experience the classic withdrawal symptoms
3 associated with smoking cessation, people who, for
4 example, chain smoke from the time they wake up in the
5 morning until basically the time they go to sleep at
6 night, and people who are experiencing significant
7 psychosocial distress related to their tobacco use.

8 Q. Tell me what you mean by psychosocial distress
9 with regards to the tobacco use.

10 A. Things like impairment in psychosocial
11 functioning, conflicts with those around them,
12 difficulty achieving their life role, estrangement from
13 loved ones.

14 Q. Would you agree with me that -- well, let's
15 back up for a second. So it's your testimony that there
16 needs to be some type of psychosocial distress in order
17 for someone to be considered addicted to nicotine?

18 MR. KEEHFUS: Object to the form.

19 A. No. You asked me how do I reach the conclusion
20 that they're addicted. These are all the factors that I
21 look at in determining whether a person is addicted.

22 Q. So, in other words, someone doesn't have to
23 have psychosocial distress in order to be addicted to
24 nicotine, right?

25 A. I think that as someone who treats addiction

0073

1 that you do need to see that type of impairment in order
2 for the behavior to become a pathology.

3 Q. So, in other words, someone needs to show some
4 psychosocial distress in order to have a determination
5 that they are addicted to nicotine?

6 A. Yes.

7 Q. Would you agree with me that someone needs to
8 chain smoke in order for you to diagnose someone as
9 addicted to nicotine?

10 MR. KEEHFUS: Object to the form.

11 A. No.

12 BY MR. ZEBERSKY:

13 Q. What about withdrawal symptoms, do you need to
14 see withdrawal symptoms in order for you to diagnose
15 someone as addicted to nicotine?

16 A. Yeah.

17 Q. What do you mean by compulsive smoking
18 behavior?

19 A. I think it's fairly self-explanatory. Seeking
20 out cigarettes to the detriment of other activities in
21 life, spending more and more time around the activity,
22 becoming very distressed when one is not able to engage
23 in that activity.

24 Q. Well, becoming distressed when someone doesn't
25 engage in smoking are withdrawal symptoms, aren't they?

0074

1 A. No, not necessarily. Typically something is
2 defined as a compulsion in psychiatry when a person
3 experiences anxiety and distress if kept from performing
4 that act.

5 So a hand washer, for example, is able to not
6 wash their hands for a time, but if kept from washing
7 their hands they become more and more anxious until it
8 reaches a point where they can't resist it anymore and
9 they go back to it.

10 Q. Would you agree with me that it's more likely
11 than not that John Vasko was a compulsive smoker?

12 MR. KEEHFUS: Object to the form.

13 Q. According to your definition.

14 A. No, I don't think I would agree with that
15 statement. I think he was a smoker.

16 I think his wife, for example, reports that at
17 times he felt compelled for the next cigarette, but I
18 see evidence that Mr. Vasko throughout his life was able
19 to modify his smoking behavior without too much
20 difficulty.

21 Q. What evidence did you see that Mr. Vasko was
22 able to modify his smoking behavior without any
23 difficulty?

24 A. For example, when his wife banned smoking in
25 the house, he was able to modify his behavior and not

0075

1 smoke in the house and smoked outside on the patio.
2 When his workplace instituted a smoking ban, he didn't
3 have to quit working and get another job. He was able
4 to modify his behavior to accommodate to that situation.
5 He was able to sit through a church service without
6 smoking, another modification of his behavior.

7 Q. So would you agree with me that unless someone
8 has a compulsive smoking use behavior, they are not
9 addicted to nicotine?

10 MR. KEEHFUS: Object to the form.

11 A. Once again, I don't use a menu in order to
12 reach a diagnosis so none of these things would be a
13 litmus test for me to reach a diagnosis of a nicotine
14 addiction.

15 Q. Now, Doctor, when you take the history of
16 someone that comes into your office to quit smoking,
17 what are the important aspects of that person's life
18 that you want to glean in order to assist you in
19 arriving at your determination whether or not that
20 person's addicted to nicotine?

21 A. I want to know when they started smoking. I
22 want to know how their smoking behavior has changed
23 through the course of their life. I want to know how
24 they have responded to life changes in terms of their
25 behavior. I am acutely interested in -- well, I'm

0076

1 interested in their current functioning, their current
2 level of stress, their current medical status and
3 behavioral status, whether or not there are
4 comorbidities present that require treatment, what kind
5 of psychosocial supports they have that can be elicited
6 in targeting the behaviors that they come for. I want
7 to know if there are any other addictions present that
8 need to be addressed as you're dealing with the smoking
9 behavior.

10 Q. Do you believe that Mr. Vasko had any other
11 addictions?

12 A. I think that the record is suggestive of a
13 problem with alcohol.

14 Q. Do you think he was addicted to alcohol?

15 A. I don't have enough data to make a decision one
16 way or the other. There are, however, things that lend
17 to suspicion. You know, there are reports of him
18 drinking a pint of vodka daily for 30 years. There's a
19 prescription for Naltrexone that's not explained, and
20 Naltrexone is a medication that's generally used for
21 treating cravings associated with alcohol.

22 Q. Now, Doctor, you're not going to render an
23 opinion as to whether or not John Vasko was addicted to
24 alcohol, are you?

25 A. You know, I think I've just rendered an opinion

0077

1 that there are suspicions of alcohol abuse on history,
2 but there is not enough data for me to render an opinion
3 that he was addicted to alcohol.

4 Q. So you're telling us that within a reasonable
5 degree of medical certainty you cannot give a diagnosis
6 that Mr. Vasko was addicted to alcohol --

7 MR. KEEHFUS: Object to the form.

8 BY MR. ZEBERSKY:
9 Q. -- based on the information that you have
10 before you?
11 A. Yes.
12 Q. Now, Doctor, would it also be important for you
13 to know when you take someone's history in determining
14 whether or not they're nicotine addicted as to when they
15 smoke their first cigarette in a day?
16 A. It's part of the information that generally is
17 obtained in a history. I don't think it's a highly
18 relevant issue because I'm focusing my history on what I
19 can do to help the patient, and I don't think that
20 smoking a cigarette first thing in the day means
21 anything in terms of the patient's ability to quit
22 smoking.
23 Q. I didn't ask you that question. I asked you,
24 do you think that smoking first thing in the day is
25 relevant to whether or not an individual is addicted to

0078

1 nicotine?
2 MR. KEEHFUS: Object to the form.
3 A. It may or it may not be depending on a specific
4 case.

5 BY MR. ZEBERSKY:
6 Q. Do you think that when John Vasko smoked his
7 first cigarette in the day is important to whether or
8 not he was addicted to nicotine?

9 A. Well, in this case it's questionable whether
10 that is relevant to reaching a diagnosis of addiction.
11 He had his first cigarette of the day, according to
12 witnesses, with his first cup of coffee of the day, and
13 that is a behavior association and may say nothing about
14 nicotine whatsoever.

15 Q. Wasn't there testimony in the record that
16 showed that John Vasko smoked his first cigarette of the
17 day even before his coffee?

18 A. Well, in that case, the testimony is
19 conflicting because there's also testimony that he had
20 the first cigarette of the day with his cup of coffee.

21 Q. And who gave that testimony?

22 A. I have to have a quick look and check.

23 Q. Go ahead.

24 MR. ZEBERSKY: Off the record.
25 (A discussion was held off the record.)

0079

1 A. Your question was who said that?

2 Q. Yeah.

3 A. On Page 160 of her deposition, Mrs. Vasko's
4 deposition, she said he put on the coffee, went on the
5 porch and smoked one after the other in the morning.

6 Q. So you would agree with me that the testimony
7 is he just turned on the coffee pot and then walked
8 outside and smoked one after the other, right?

9 MR. KEEHFUS: Object to the form.

10 A. I don't think that is exact. I mean, I think
11 it says he put up the coffee and had a cigarette.

12 BY MR. ZEBERSKY:

13 Q. So it's your opinion that Mrs. Vasko's
14 testimony is that he smoked his first cigarette of the
15 day with his morning coffee?

16 A. I believe so, yes.

17 Q. And why do you believe that --

18 A. That's my understanding.

19 Q. -- based on that one statement?

20 A. Well, that's my understanding of her testimony.

21 Q. Would it be important to you to know what
22 Mrs. Vasko meant by that statement in arriving at your
23 opinion as to whether or not Mr. Vasko was addicted to
24 nicotine?

25 MR. KEEHFUS: Object to the form.

0080

1 A. It may or it may not be relevant. If I
2 mischaracterized her testimony, I'm happy to be
3 corrected.

4 BY MR. ZEBERSKY:

5 Q. Now, would you agree that it's more likely than
6 not that Mr. Vasko had his first cigarette of the day
7 during the majority of his life within five minutes of
8 waking up?

9 MR. KEEHFUS: Object to the form.

10 A. I don't know that for sure one way or the
11 other. I know that there is testimony he had his first
12 cigarette quickly upon waking.

13 Q. So you would agree with me that it's more
14 likely than not that Mr. Vasko had his first cigarette
15 within five minutes of waking up?

16 MR. KEEHFUS: Same objection.

17 A. I don't know if it was five minutes or not.

18 Q. You just have no opinion one way or the other?

19 A. Correct.

20 Q. Do you know when Mr. Vasko had his last
21 cigarette before he went to sleep?

22 A. I think I recall testimony saying just before
23 he went to sleep, but I can't remember the exact
24 reference.

25 Q. Is that important to you in arriving at your

0081

1 diagnosis as to whether or not an individual is addicted
2 to nicotine when they smoked last before they went to
3 bed?

4 A. Not necessarily. There are lots of people who
5 smoke a lot all day who don't meet the accepted criteria
6 for nicotine addiction.

7 (A discussion was held off the record.)

8 (The portion referred to was read by the
9 reporter as above recorded.)

10 BY MR. ZEBERSKY:

11 Q. Doctor, what are the accepted criteria for
12 addiction or did we already go over that with the
13 compulsive smoking, tried unsuccessfully to quit,
14 etcetera?

15 A. Well, I mean, I think we went over it. There
16 are lots of different criteria. Some are in the DSM.
17 There's ICD criteria that are used so people think
18 they're talking about the same thing when they're
19 talking about addiction.

20 In terms of actual clinical practice, however,
21 I know of no clinician who sits with the DSM and ticks
22 off the items and says, "Ah-ha, I have four so it's an
23 addiction." You know, I think we use our clinical
24 judgment in reaching a determination.

25 Q. Do you utilize the DSM-IV in determining

0082

1 whether or not someone is addicted to smoking?

2 A. Not really.

3 Q. And you don't use the ICD criteria in
4 determining whether someone is addicted to smoking
5 either, right?

6 MR. KEEHFUS: Object to the form.

7 A. Correct.

8 BY MR. ZEBERSKY:

9 Q. Would someone waking up in the middle of the
10 night to have a cigarette be significant to you in
11 determining whether or not someone is addicted to
12 nicotine?

13 A. People wake up in the middle of the night for a
14 variety of reasons. If they then go on to have a
15 cigarette, it's a notable event. It doesn't, one way or
16 the other, affect whether or not I would call them
17 addicted to nicotine.

18 Q. If someone wouldn't fly on an airplane because
19 they weren't able to smoke, would that be significant
20 for you in determining whether or not that person is
21 addicted to nicotine?

22 A. No, not necessarily.

23 Q. Why not?

24 A. Because people might not fly for a variety of
25 psychological reasons, only one of which may be the fear

0083

1 that they couldn't cope being without cigarettes for the
2 time the flight takes.

3 Q. I would like you to assume that John Vasko
4 wasn't afraid of flying but he wouldn't step foot on an
5 airplane that wouldn't let him smoke. Okay? Will you
6 assume that for me?

7 A. Sure.

8 MR. KEEHFUS: Object to the form.

9 BY MR. ZEBERSKY:

10 Q. Would you agree with me that whether or not the
11 fact that John Vasko couldn't smoke on an airplane would
12 be an important factor in determining whether or not he
13 was addicted to smoking?

14 MR. KEEHFUS: Object to the form.

15 A. I think it's relevant in terms of understanding
16 his smoking behavior. I don't think it necessarily says
17 one thing or another regarding whether or not he was
18 addicted to nicotine.

19 Q. Well, doesn't that show that he has changed his
20 life-style as a result of his addiction to nicotine?

21 A. It may or it may not. Who knows if Mr. Vasko
22 had to fly.

23 Q. You just don't know, right?

24 A. I don't know whether he had or had not to fly,
25 correct.

0084

1 Q. And you don't know that because you never met
2 Mr. Vasko?

3 A. Correct.

4 Q. Because he's dead?

5 A. Correct.

6 Q. Do you know why John Vasko died?

7 A. I know that he entered the hospital and he
8 became septic, I believe, and that led to his death.

9 Q. Do you have an opinion one way or another as to
10 whether or not Mr. Vasko died as a result of smoking?

11 A. No, I don't.

12 Q. Do you have an opinion as to whether or not
13 nicotine is addictive?

14 A. Yes, I do.

15 Q. And what is your opinion?

16 A. Nicotine is a mildly to moderate reinforcing
17 substance in human beings. As such, people do develop
18 behavioral problems associated with it.

19 Q. Is nicotine addictive?

20 A. For some people it may be addictive, yes.

21 Q. What type of people would nicotine be addictive
22 to?

23 A. People who are at risk, for example, because of
24 their psychology for certain behavioral problems.

25 Q. Do you believe that John Vasko was one of those
0085

1 people at risk for certain behavioral problems that
2 would lead to his dependence on nicotine?

3 A. I mean, I think Mr. Vasko is characterized by
4 the people who knew him as a strong-willed person who
5 did what he wanted to do in life including smoking when
6 doctors told him not to smoke, so I think he had a very
7 strong will and I think had he wanted to stop smoking he
8 could have stopped smoking.

9 Q. So would it be fair to say that someone who is
10 strong-willed is not addicted to nicotine?

11 MR. KEEHFUS: Object to the form.

12 Q. In your opinion, Doctor?

13 A. Well, I'm being specific to Mr. Vasko.

14 Q. So you believe that the fact that Mr. Vasko is
15 described as strong-willed is a reason why he is not
16 addicted to nicotine?

17 A. Firstly, that was one example of Mr. Vasko
18 being strong-willed. If one looks at the records,
19 Mr. Vasko was able to successfully stop drinking which
20 really takes the same skills that are involved in
21 stopping smoking. Mr. Vasko was in fact successful in
22 discontinuing his cigarette smoking for a few months
23 according to the medical records, so he in fact
24 demonstrated the ability to stop smoking.

25 Q. What is relapse?

0086

1 A. Relapse is when one stops doing a certain
2 behavior and then after a time starts doing it again.

3 Q. And why would someone start doing that behavior
4 again?

5 A. There can be a multitude of reasons.

6 Q. Give us the reasons.

7 A. There can be psychological factors, for
8 example, just the fact that someone likes doing what
9 they're doing. People engage in self-defeating
10 behaviors all the time. They make the choice to engage
11 in self-defeating behaviors. It's something we deal
12 with in psychiatry all the time.

13 Q. Isn't that one of the criteria, showing that
14 someone's addicted to nicotine in DSM-IV that they smoke
15 even though they know that smoking is going to cause
16 them health problems or continue their health problems?

17 A. Well, it's a little different than that. It's
18 continued use despite negative consequences.

19 Q. You would agree with me that hurting your
20 health is a negative consequence, wouldn't you?

21 A. Yes.

22 Q. So you would agree with me that one of the
23 criteria at least used in the DSM-IV which you don't
24 utilize in determining whether someone is addicted to
25 nicotine is that they smoke notwithstanding the fact

0087

1 that they know it's harmful to them?

2 MR. KEEHFUS: Object to the form.

3 A. Well, first of all, you know, I might have
4 answered your question that I don't utilize, and I think
5 I need to modify that and say I don't exclusively
6 utilize the DSM in reaching diagnoses. I'm clearly
7 aware of the DSM. It's part of the knowledge base.

8 It's part of the understanding of addictions and
9 addiction psychiatry. I had to learn it to pass the
10 test. I have to choose a diagnosis when I'm dealing
11 with insurance companies so it is involved in my
12 practice, but I'm not sitting there with a pen ticking
13 off criteria and come to an ah-ha moment where I'm able
14 to say, okay, yes or no based upon the number of
15 criteria that are met.

16 Q. Do you anticipate describing or utilizing the
17 DSM in your testimony at the Vasko trial?

18 MR. KEEHFUS: Object to form.

19 A. I think if other people bring up the DSM, I am
20 more than happy to engage in that discussion.

21 Q. Do you utilize the Fagerstrom test in
22 determining whether or not someone is addicted to
23 nicotine?

24 A. No.

25 Q. Why not?

0088

1 A. I don't know any practitioner who uses it in
2 clinical practice.

3 Q. I didn't ask you that question.

4 A. It's not a relevant issue. It's not a relevant
5 test to use in clinical practice.

6 Q. What is irrelevant about that test?

7 A. It doesn't speak to options available for
8 patients. I don't use a test that doesn't help me treat
9 a patient. Fagerstrom doesn't. There's nothing in the
10 Fagerstrom that predicts a person's ability to be helped
11 and to stop smoking.

12 Q. Well, I'm not asking you whether it predicts
13 whether or not someone can be helped. I'm asking you
14 whether or not the test can be utilized in determining
15 whether someone is addicted to nicotine in the first
16 place?

17 A. No. You asked me why I don't use it. I
18 explained to you why I don't use it.

19 Q. Would you agree with me then that it can be
20 used to determine whether or not someone is addicted to
21 nicotine in the first place?

22 A. No.

23 Q. Why not?

24 A. Because I don't think that there's anything in
25 there that would be useful for me to make that

0089

1 diagnosis.

2 Q. So when someone smokes first thing in the
3 morning isn't useful to you in arriving at your
4 diagnosis as to whether someone is nicotine addicted?

5 MR. KEEHFUS: Object to the form.

6 A. That alone is not useful, no.

7 BY MR. ZEBERSKY:

8 Q. Whether or not someone refrains from smoking in
9 places where it's forbidden isn't useful to you in
10 determining whether or not someone's addicted to
11 nicotine?

12 A. Correct.

13 Q. Whether someone would hate to give up their
14 first cigarette in the morning is not important to you
15 in arriving at your opinion as to whether or not someone
16 is addicted to nicotine?

17 A. Yes.

18 Q. How much someone smokes in a day is not
19 important to you as far as your determination as to
20 whether or not a human being is addicted to nicotine?

21 A. Correct. There are many people who smoke a lot
22 of cigarettes a day who are not addicted. There are
23 many people who smoke very few cigarettes who are
24 addicted.

25 Q. Again, we already talked about whether someone
0090

1 who smokes knowing that the smoking is causing their
2 illness, that's not important to you in determining
3 whether or not someone is addicted to nicotine, right?

4 A. Correct. People who -- sorry.

5 MR. KEEHFUS: Object to the form. Go ahead.

6 A. Correct. People who blow their mortgage money
7 gambling still engage in negative behavior despite
8 consequences that are negative.

9 Q. Where's the list of your opinions? Great.

10 MR. ZEBERSKY: Why don't we go off the record.

11 (A discussion was held off the record.)

12 MR. ZEBERSKY: Let's go back on.

13 BY MR. ZEBERSKY:

14 Q. In your opinion, was Mr. Vasko suffering from
15 any type of depression?

16 A. I think he was depressed at times. I don't see
17 any evidence of what would be called a major depressive
18 episode which is what we typically call depression.

19 Q. Would you agree with me that his physicians at
20 least from the medical records that you were provided
21 and the pharmacy records you were provided were
22 prescribing him medication for use for depression?

23 A. Yes. I would agree.

24 Q. Do you have an opinion one way or another
25 whether or not the those physicians would prescribe

0091
1 medication for depression if he wasn't in fact
2 depressed?

3 MR. KEEHFUS: Object to the form.

4 A. I think that primary care doctors often
5 prescribe antidepressant medications for patients who
6 don't have severe depressive illness and who really
7 should not be on antidepressive medication.

8 In Mr. Vasko's case, I see no evidence from the
9 record of a severe depressive disorder. There are no,
10 for example, mental status examinations documenting his
11 state. There's no evidence of pervasive functional
12 impairment related to depressive disorders. There's no
13 evidence of suicidal ideation, homicidal ideation,
14 somatic delusions that one expects to see in severe
15 depressive disorders, so I don't see any evidence that
16 he was being treated for a major depression.

17 Q. But you don't know as you sit here today
18 whether or not he had any of those symptoms that you
19 described because you never met Mr. Vasko, right?

20 A. Well, I'm relying on the medical records.

21 Q. And you don't have the medical records from
22 Dr. Koplewitz who prescribed him Zoloft and some of the
23 other psychotropic drugs during his lifetime, correct?

24 A. Correct.

25 Q. Do you know what kind of doctor Dr. Koplewitz
0092
1 is?

2 A. No. It's mentioned, but I can't recall.

3 Q. So it's your opinion here today that the
4 psychotropic drugs, the Zoloft, etcetera was diagnosed
5 to Mr. Vasko by his family physician?

6 MR. KEEHFUS: Object to the form.

7 BY MR. ZEBERSKY:

8 Q. Is that correct?
9 A. No. I think that the patient was referred to
10 Dr. Koplewitz. I don't think he was the family doctor.
11 Q. So who prescribed the Zoloft?
12 A. I have to go over the prescription records
13 again. I don't recall.
14 Q. Now, Doctor, what are the opinions that you
15 intend to give in this case?
16 A. I think the primary question is related to my
17 opinion that I do not think that Mr. Vasko's -- I don't
18 think that addiction caused the diseases that led to
19 Mr. Vasko's death, and I base this upon the fact that he
20 did not display any clear motivation to stop smoking.
21 His attempts to stop smoking were minimal in the 1990s.
22 Over the course of his life he showed the
23 ability to modify his smoking behaviors. I see no
24 evidence of a severe mood disturbance that would have
25 impacted his ability to stop smoking. He showed ability
0093
1 at points in his life to quit using alcohol. He showed
2 ability to quit smoking at times in his life.
3 When people spoke to him about his smoking
4 behavior, he would put them off. When his doctor spoke
5 to him about his smoking behavior, he didn't modify his
6 behavior, and he in fact showed no irresistible
7 compulsion or loss of personal control in regard to his
8 smoking behavior.
9 Q. Are you telling us that someone needs to have a
10 desire to quit smoking cigarettes in order to be
11 addicted to nicotine?
12 A. No. I'm saying without a desire to quit, a
13 person is not going to stop smoking whether or not they
14 are addicted or not addicted.
15 Q. Well, my --
16 A. It's irrelevant.
17 Q. My question is not whether Mr. Vasko wanted to
18 quit smoking. Okay. Are we fine with that? Can we
19 assume that?
20 A. If you ask me the question. We can assume
21 that.
22 Q. I just want you to assume that for me --
23 A. That's fine.
24 Q. -- because you talked a lot about quitting. I
25 don't want to know whether or not Mr. Vasko wanted to
0094
1 quit smoking.
2 I want to know whether or not Mr. Vasko was
3 addicted to smoking at any point in his life, and what
4 is your opinion with respect to whether Mr. Vasko was
5 addicted to cigarettes at any point in his life?
6 A. Mr. Vasko may have been addicted to cigarettes
7 after 1990.
8 Q. He may have been addicted to cigarettes after
9 1990?
10 A. Yes.
11 Q. Is that your testimony?
12 A. Yes.
13 Q. And what do you base that on?
14 A. I base that on his pattern of use, the fact
15 that his wife reported moderate withdrawal symptoms when
16 he tried to stop using, the fact that he kept using
17 despite negative health consequences.
18 If he would have showed up in my practice with
19 that constellation, I would have said that he was a
20 person who was hooked on cigarettes.

21 Q. So you would agree with me that Mr. Vasko was
22 addicted to cigarettes sometime after 1990?
23 A. Yes.
24 Q. Was he addicted to cigarettes before 1995?
25 A. I feel comfortable with 1990 as a time period
0095
1 because after that there's discussion of his attempts to
2 stop smoking and there really is no discussion anywhere
3 of him ever having made any attempts to modify his
4 behavior before then. 1995 I really don't have an
5 opinion.
6 Q. Would you agree with me that Mr. Vasko first
7 attempted to quit smoking in 1990 when Dr. Dhanani told
8 him that he had emphysema caused by smoking?
9 MR. KEEHFUS: Object to form.
10 A. I don't know when exactly Dr. Dhanani told him
11 he had emphysema or not, and frankly I don't have an
12 opinion on that, but when Dr. Dhanani told him to stop
13 smoking, he didn't stop smoking. He actually increased
14 his smoking.
15 BY MR. ZEBERSKY:
16 Q. But would you agree with me that he was
17 addicted right after Dr. Dhanani told him that he had
18 emphysema as a result of smoking cigarettes and that he
19 should stop?
20 MR. KEEHFUS: Object to the form.
21 A. Yes.
22 Q. So if Dr. Dhanani told him to stop smoking in
23 1990 because his emphysema was caused by smoking
24 cigarettes, you would agree with me that Mr. Vasko was
25 addicted to smoking in 1990, correct?
0096
1 A. Yes.
2 Q. Is that correct?
3 A. Yes.
4 Q. And it's your testimony that Mr. Vasko was not
5 addicted to smoking cigarettes in 1970; is that correct?
6 A. No. It's my testimony that I don't have enough
7 data with which to make a determination.
8 Q. So you have no opinion as you sit here today as
9 to whether or not Mr. Vasko was addicted to smoking
10 cigarettes in 1970?
11 MR. KEEHFUS: Object to the form.
12 A. My opinion is that there is inadequate data in
13 the records that I've been provided with to come to a
14 determination within a reasonable degree of medical
15 certainty.
16 Q. So I just want to make sure you have no opinion
17 one way or another within a reasonable degree of medical
18 certainty whether John Vasko was addicted to cigarettes
19 in the 1970s?
20 MR. KEEHFUS: Object to the form.
21 A. I think I've answered that question. I have
22 inadequate data with which to reach a determination.
23 Q. So the answer is you don't have an opinion
24 within a reasonable degree of medical certainty,
25 correct, Doctor?
0097
1 MR. KEEHFUS: Object to the form.
2 A. Yes.
3 BY MR. ZEBERSKY:
4 Q. What about in the 1980s, do you have an opinion
5 within a reasonable degree of medical probability
6 whether or not Mr. Vasko was addicted to nicotine in the
7 1980s?

8 MR. KEEHFUS: Object to the form.
9 A. No.
10 Q. What about the 1960s?
11 A. No.
12 Q. But you would agree with me -- well, strike
13 that.
14 Glad we got your opinions down.
15 Doctor, why do people smoke?
16 A. People smoke for a lot of reasons.
17 Q. Do you think people smoke to get nicotine?
18 A. I think people smoke because they like it. I
19 think that to say that people smoke only because
20 cigarettes are a nicotine delivery device is a dramatic
21 oversimplification.
22 Q. Would you agree with me, Doctor, that one of
23 the reasons people smoke cigarettes is to obtain
24 nicotine?
25 A. I think that some people may smoke and that for
0098
1 that group of people nicotine may be involved. As I
2 said before, I think that nicotine is a mild to moderate
3 reinforcer. However, my opinion is that people smoke
4 because they want to smoke whether or not they're
5 getting nicotine from the cigarettes.
6 Q. So it's your opinion that if the cigarette
7 companies took nicotine out of the cigarettes, people
8 would still smoke?
9 A. I think that people would engage in behaviors
10 that are reinforcing to them. I have no opinion as to
11 how a person would use a sanitized cigarette, a
12 cigarette without nicotine.
13 Q. And, Doctor, why would you give an opinion that
14 one of the reasons that people smoke is not to get
15 nicotine?
16 MR. KEEHFUS: Object to the form.
17 BY MR. ZEBERSKY:
18 Q. It's a bad question. I'll ask it again.
19 Doctor, why would you be able to give us an opinion then
20 that obtaining nicotine is not one of the reasons why
21 people smoke?
22 MR. KEEHFUS: Object to the form.
23 A. Almost exclusively in my practice when I
24 discuss with patients why they smoke they tell me that
25 they smoke because they like smoking, and that has
0099
1 nothing to do with nicotine.
2 Q. So your response to the question that you don't
3 feel -- or you don't have the opinion that people smoke
4 in order to obtain nicotine is based on your own
5 clinical experience with about 50 people that you treat
6 for nicotine dependence, correct?
7 MR. KEEHFUS: Object to the form.
8 A. No. That's a gross mischaracterization of what
9 I said.
10 Q. Please make it clear for the record.
11 A. Firstly, I treat hundreds of patients and over
12 my career I've treated thousands of patients who smoke,
13 and as a physician I feel it's my responsibility to
14 discuss smoking behavior with everyone who comes in my
15 office who is smoking and discuss their readiness to
16 stop smoking, so to suggest to the jury that I only have
17 experience with 50 patients is you attempting to mislead
18 the jury.
19 Secondly, I think that your questioning, your
20 line of questioning was confining a person's smoking

21 behavior to their desire to obtain nicotine, and I think
22 that that is a highly simplistic view of why people
23 smoke.

24 People smoke because of the biological issues,
25 but they also smoke because of the social issues and the
0100

1 behavioral issues around the act of taking a cigarette,
2 and that's why whether or not a person may be said to be
3 addicted to cigarettes, they don't stop smoking until
4 they've made up their mind that they're ready to stop
5 smoking and want to stop smoking.

6 Q. You keep talking about stopping smoking, and
7 I'm not really asking those questions yet. I'm just
8 trying to get a simple answer. Do people --

9 A. I think I've been very responsive.

10 Q. I'm not saying you're not. Do people smoke in
11 order to obtain nicotine?

12 MR. KEEHFUS: Object to the form.

13 A. I think that the way that question is posed I
14 can't answer it. There are some people who may smoke to
15 get nicotine. There are some people who smoke and it
16 has nothing to do with nicotine.

17 BY MR. ZEBERSKY:

18 Q. And in your practice you told me that you've
19 treated thousands of people that have been smokers,
20 right?

21 A. Correct.

22 Q. And you had told me earlier that in your
23 opinion that the majority of people smoke not to get
24 nicotine but because they like it?

25 A. No. I said when I ask patients why they smoke

0101

1 and why they continue to smoke they don't say, "It's
2 because I need a fix of nicotine." They say it's
3 because they enjoy smoking.

4 Q. Do you have an opinion within a reasonable
5 degree of medical probability as to why people smoke
6 cigarettes?

7 MR. KEEHFUS: Object to the form.

8 A. Yes.

9 Q. And what is that opinion?

10 A. I think that people smoke for a variety of
11 multifactorial reasons including the biological, the
12 social, and the psychological.

13 Q. And when you say the biological, you mean
14 addiction to nicotine, right?

15 A. I mean the effect of the ingested substances on
16 the person.

17 Q. So would you agree with me that in the majority
18 of the people that you treat there is a biological
19 component to smoking that is important to the people
20 that you treat?

21 A. As I said before, it is my opinion that
22 nicotine is a mild to moderately reinforcing substance.
23 As such, it does have psychoactive activity.

24 Q. Would you agree with me that the use of
25 nicotine changes brain function?

0102

1 MR. KEEHFUS: Object to the form.

2 A. That's a very broad statement. Nicotine does
3 act on nicotinic receptors in the brain and causes
4 opening of certain channels within those receptors
5 resulting in the flow of molecules in and out of neurons
6 in the brain.

7 Nicotine is associated in in vitro studies, in

8 vitro means in laboratory studies, with some increase in
9 Dopamine in certain parts of the brain. So yes, I would
10 agree that nicotine does cause certain molecular actions
11 in the brain, and I don't think anybody really
12 understands what those changes ultimately do in terms of
13 a person's behavior.

14 Q. Doctor, do you believe that when someone starts
15 smoking cigarettes with nicotine at the age of 14 that
16 their brain functioning starts to change?

17 MR. KEEHFUS: Object to the form.

18 A. I think that there are certain changes that
19 occur as I just discussed.

20 Q. Now, John Vasko started, I think you said, at
21 12?

22 A. Yes.

23 Q. Do you think there were changes in John Vasko's
24 brain when he started smoking at the age of 12?

25 MR. KEEHFUS: Object to the form.

0103

1 A. Well, I think that there were changes at the
2 receptor level in his brain, yes.

3 Q. Tell us again what you mean by the receptor
4 level. Are we talking about how the brain receives the
5 nicotine?

6 A. Yeah.

7 Q. Would you agree with me that a cigarette is a
8 nicotine delivery device?

9 MR. KEEHFUS: Object to the form.

10 A. I think that a cigarette is much more than just
11 a nicotine delivery device. I think it is a ritual, a
12 behavior. It has social consequences. It has status
13 consequences in terms of a person's behavior. One of
14 the other things that it does is contain nicotine that
15 gets ingested when a person inhales cigarette smoke.

16 Q. Would you agree with me that at least back in
17 the 1950s the reason why people started smoking was
18 based on peer pressure and environmental issues?

19 MR. KEEHFUS: Object to the form.

20 A. Can you repeat the question?

21 Q. Sure. Would you agree with me that in the
22 1950s when people started smoking it was not to get
23 nicotine, it was based on those behavioral social status
24 issues that you were discussing previously?

25 MR. KEEHFUS: Object to the form.

0104

1 A. I think that people start smoking for a lot of
2 different reasons, and I can't generalize that everybody
3 starts for that reason.

4 BY MR. ZEBERSKY:

5 Q. I'm asking you back in the 1950s?

6 MR. KEEHFUS: Object to the form.

7 A. Correct.

8 Q. You just don't have an opinion one way or the
9 other?

10 A. I think that individuals start smoking for a
11 lot of different reasons.

12 Q. Do you know what an informed smoker is?

13 MR. KEEHFUS: Object to the form.

14 A. I would assume that -- I mean, I'm making an
15 assumption that informed means one is aware of the risks
16 of smoking.

17 Q. Let's assume that that's the definition. Do
18 you have an opinion one way or another whether John
19 Vasko was an informed smoker in the 1950s?

20 MR. KEEHFUS: Object to the form.

21 A. Likely not.
22 Q. Would you agree with me that it's more likely
23 than not that John Vasko was not an informed smoker in
24 the 1960s?
25 MR. KEEHFUS: Object to the form.
0105
1 A. Well, I think the first Surgeon General's
2 report came out speaking about cigarettes being a habit.
3 Mr. Vasko was a literate man who read, watched the news.
4 You know, I think he would have been informed as the
5 rest of society was informed.
6 Q. So it's your opinion that John Vasko was
7 informed of the health risks of smoking in the 1960s?
8 MR. KEEHFUS: Object to the form.
9 A. I think he was aware of the media reports just
10 like everybody else in society was.
11 Q. Do you have an opinion one way or another
12 whether Mr. Vasko was an informed smoker in the 1970s?
13 MR. KEEHFUS: Object to the form.
14 A. In the '70s?
15 Q. Yes.
16 A. I don't know, but I know in the mid '80s when
17 his wife didn't want him smoking in front of his
18 pregnant -- in front of the grandchild because she knew
19 that cigarettes were not good for you and she told him
20 about it I think he was informed.
21 Q. So it's your testimony that in the 1980s when
22 Loretta Vasko asked John Vasko to smoke outside and not
23 in front of their grandchild he was an informed smoker?
24 MR. KEEHFUS: Object to the form.
25 A. Yes.
0106
1 Q. And that happened in the 1980s?
2 A. Yes.
3 Q. Now, let me ask you this question: Was there
4 anything in the record which would indicate that John
5 Vasko did not believe that emphysema was caused by
6 smoking cigarettes?
7 A. I'm just stuck on the last question for a
8 minute. I want to make sure I got my dates correct.
9 Can you repeat the question?
10 MR. ZEBERSKY: Could you read it back?
11 (The portion referred to was read by the
12 reporter as above recorded.)
13 BY MR. ZEBERSKY:
14 Q. I don't want to trick you. If you want to take
15 a look at Loretta Vasko's deposition, go ahead. I would
16 suggest you take a look at Page 92.
17 A. Could I see, if you have a copy of Page 92?
18 MR. ZEBERSKY: Is it okay if I show him my
19 copy? It's highlighted.
20 MR. KEEHFUS: Sure.
21 BY MR. ZEBERSKY:
22 Q. I'm showing you what's part of Loretta Vasko's
23 deposition. I'd like you to read it and ask if that
24 refreshes your recollection as to whether or not John
25 Vasko thought that the emphysema was caused by smoking?
0107
1 MR. KEEHFUS: Object to the form.
2 A. Mrs. Vasko testified that John told her that
3 cigarettes weren't the cause of the pulmonary problems
4 that Dr. Dhanani was concerned about.
5 Q. So that would be the emphysema, correct?
6 A. Correct.
7 Q. Do you have an opinion one way or another

8 whether John Vasko thought that emphysema was caused by
9 smoking cigarettes in 1990?

10 MR. KEEHFUS: Object to the form.

11 A. Other than his wife's testimony to the
12 contrary, no.

13 Q. You don't dispute that testimony, do you?

14 A. No.

15 Q. So before 1990 it would be your opinion that
16 Mr. Vasko did not know that smoking would cause
17 emphysema, right?

18 MR. KEEHFUS: Object to the form.

19 A. Yes.

20 BY MR. ZEBERSKY:

21 Q. Would you agree with me that obtaining nicotine
22 is one of the substantial reasons why people smoke
23 cigarettes?

24 A. No, I would not agree.

25 Q. Would you agree with me for people who are

0108

1 addicted to nicotine that smoking -- I'm sorry. Strike
2 that.

3 Would you agree with me that for people who are
4 addicted to nicotine the nicotine in cigarettes is a
5 substantial reason to smoke?

6 A. No, I would not agree. I think that you're
7 mixing up the effect of the substance once it gets into
8 the body and the steps that the person takes to get the
9 substance in the body. You know, I think the behavior
10 of smoking a cigarette is very different than what
11 happens when someone ingests nicotine.

12 Q. So is it my understanding that you believe that
13 nicotine in cigarettes has a very minimal effect on the
14 reason why people smoke?

15 A. Like I said, cigarette smoking is
16 multifactorial. Nicotine does play a role, but people
17 smoke -- people choose to smoke. They are not slaves to
18 nicotine.

19 Q. So do you believe that when someone is addicted
20 to nicotine they are a slave to nicotine?

21 MR. KEEHFUS: Object to the form.

22 A. Absolutely not.

23 Q. And why not?

24 A. Because people who are addicted quit all the
25 time.

0109

1 Q. Do you believe that 100 percent of people can
2 quit smoking?

3 A. I think that would be appropriate motivation
4 and support that people can quit smoking, yes.

5 Q. What percentage of people today are addicted to
6 cigarettes, or addicted to nicotine, I should ask you?

7 A. That's one of those statistics that I wanted to
8 be able to look at. Give me second.

9 Well, the study suggests that about 40 percent
10 of daily smokers never reach nicotine dependence, and if
11 the prevalence of smoking is around 20 percent now and
12 40 percent of them never reach nicotine dependence, that
13 means 60 percent of them do reach nicotine dependence.
14 We're talking about 12 percent.

15 Q. 60 percent of people who smoke cigarettes are
16 nicotine dependent, correct?

17 A. Correct.

18 MR. KEEHFUS: Object to form.

19 BY MR. ZEBERSKY:

20 Q. And what study are you relying on for that

21 statistic?
22 A. That was the -- I was not relying. I was
23 quoting the Donny study.
24 Q. So you don't rely on the Donny study, you're
25 just quoting the Donny study?
0110
1 A. Yeah. I mean, it's epidemiological data.
2 Q. Could I see the Donny study, please? The Donny
3 study was one of those articles that were provided to
4 you by the Womble Carlyle firm?
5 A. I don't recall when I went over them. I told
6 you which ones were and which ones weren't.
7 Q. And the Womble -- I'm sorry. And the Donny
8 study was done in 2006?
9 A. You have it there.
10 Q. It says 2006. Do you disagree with that?
11 A. No.
12 Q. Do you find Mr. Donny authoritative in the
13 field of determining the percentage of people who are
14 addicted to nicotine?
15 MR. KEEHFUS: Object to the form.
16 A. No.
17 BY MR. ZEBERSKY:
18 Q. What about his cohort Lisa Dierker, do you find
19 her authoritative?
20 A. No.
21 Q. Then why would you quote their statistic if you
22 don't find either one of those individuals reliable or
23 authoritative in determining the statistical number of
24 people who smoke cigarettes?
25 MR. KEEHFUS: Object to the form.
0111
1 A. But now you've changed the question from
2 authoritative to reliable.
3 BY MR. ZEBERSKY:
4 Q. You find him reliable?
5 A. No. Just a reliable statistic.
6 Q. You just don't think it's authoritative?
7 A. Correct.
8 Q. Why do you find the information in the Donny
9 study reliable?
10 A. I think that's a reasonable statistic. That's
11 something I'm willing to accept.
12 Q. Why do you find that number reasonable?
13 A. I've seen it referred to many times in the
14 literature.
15 Q. What literature have you seen it referred to?
16 A. For example, in addiction psychiatry textbooks.
17 Q. What textbooks?
18 A. I have two textbooks in my office that I use.
19 One is the APA Textbook of Addiction Psychiatry and the
20 other I forget the title to.
21 Q. The APA?
22 A. American Psychiatric Association Textbook of
23 Addiction Psychiatry.
24 Q. When was it written?
25 A. There was a new edition within the past few
0112
1 years.
2 Q. And what's the other textbook?
3 A. I can't recall the name. I know I have two
4 addiction textbooks sitting next to each other. I just
5 can't recall the name.
6 Q. Just for consistency, you don't find either one
7 of those textbooks authoritative in the field of

8 psychiatry?
9 MR. KEEHFUS: Object to the form.
10 A. Correct.
11 (Thereupon, Plaintiff's Exhibit Number 10 was
12 marked for identification.)
13 BY MR. ZEBERSKY:
14 Q. Showing you Exhibit 10 which I will let you
15 know is a copy of certain pages from the DSM-IV-TR with
16 respect to nicotine addiction and substance addiction,
17 which I should say dependence -- okay?
18 A. Okay.
19 Q. I'd like you to open up to Page 268.
20 A. They're not numbered.
21 Q. You've got to be kidding?
22 A. No.
23 Q. Let me see.
24 MR. KEEHFUS: Keep going. Second to last page.
25 MR. ZEBERSKY: Thank you.

0113

1 BY MR. ZEBERSKY:
2 Q. I'm going to ask you to take a look at the
3 second full paragraph where it says, "An estimated 80
4 to 90 people who are regular smokers have nicotine
5 dependence." Do you agree with that statement?
6 A. No. I think that's -- obviously there's a
7 disagreement in the literature regarding those numbers.
8 Q. But that was what the guidebook that the
9 American Psychiatric Association put out to all of the
10 psychiatrists in the United States, correct?
11 MR. KEEHFUS: Object to the form.
12 A. No. It's not a book that they put out to all
13 the psychiatrists in the United States and it's not a
14 guidebook. It's a diagnostic and statistical manual,
15 and it was put together by a committee who came up with
16 this reference.
17 Q. And the committee through the American
18 Psychiatric Association of which you are a member --
19 A. I am.
20 Q. -- determined that between 80 and 90 percent of
21 regular smokers are nicotine dependent, correct?
22 A. Right. Correct.
23 Q. You just disagree with that?
24 A. Correct, with all due respect.
25 Q. Do you also disagree that 80 percent of smokers

0114

1 report attempting to quit but during the first attempt
2 less than 25 percent of those who do abstain remain
3 successful for extended periods of time?
4 A. Hold on one second. I'm sorry. I'm just
5 checking something.
6 Q. Do you disagree with that statement?
7 A. Can you repeat the statement?
8 Q. More than 80 percent of smokers report
9 attempting to quit but during the first attempt less
10 than 25 percent of those who do abstain remain
11 successful for extended periods of time?
12 A. I would not disagree with that.
13 Q. Would you agree with the comment on Page 269
14 which is the next page, "Because regular nicotine use
15 does not appear to impair mental functioning, nicotine
16 dependence is not readily confused with other
17 substance-related disorders and mental disorders"?
18 A. Yes.
19 Q. What do they mean by mental functioning?
20 A. A person's cognitive reasoning, whether or not

21 they're in touch with reality, presence, absence of
22 delusions, higher level functioning like orientation,
23 sensorium.

24 Q. Are we also talking about psychological
25 distress?

0115

1 A. No, I don't think that's what they're talking
2 about at all.

3 Q. Are they talking about psychosocial distress?

4 A. No. They're talking about higher order mental
5 functioning like reasoning and cognition and being in
6 touch with reality.

7 Q. Do you agree with the statement "once an addict
8 always an addict."

9 A. No.

10 Q. Why not?

11 A. I think that's simplistic. I have a lot of
12 patients in my practice who are addicted to a variety of
13 substances, were able to successfully stop, and who I
14 followed for 20-30 years and have never gone back to
15 using substances.

16 Q. Do you have patients that stopped smoking for a
17 period of time and then went back to smoking?

18 A. Yes.

19 Q. What percentage of the people that you have
20 treated have stopped smoking and eventually have gone
21 back?

22 A. It's difficult to know because people are lost
23 to follow-up so I don't know the long-term outcomes of
24 every patient I look at, but in terms of the patients
25 that I follow, I have some patients who stop completely

0116

1 and never go back. I have some patients who go back to
2 minimal use of cigarettes, a couple cigarettes a day,
3 and I don't think that they would meet the criteria for
4 a dependence disorder, and I have some patients who go
5 back to smoking a lot the way they were before.

6 Q. What percentage of your patients that actually
7 quit smoking actually go back and start smoking again?

8 A. About a third.

9 Q. And you already told us that there were a bunch
10 of people that quit smoking through your methods and you
11 just don't track them, correct?

12 A. Correct.

13 Q. So you wouldn't know how many of those people
14 actually went back to smoking, correct?

15 A. Correct.

16 Q. And would you agree with me that a lot of times
17 when a psychiatrist helps someone to do something,
18 change a behavior, and they revert back to that
19 behavior, it's less likely that they would come back to
20 that psychiatrist?

21 MR. KEEHFUS: Object to the form.

22 A. Not necessarily. If the person has ongoing
23 problems, they might in fact be more likely to come
24 back.

25 BY MR. ZEBERSKY:

0117

1 Q. You just don't know whether or not any of those
2 other people that you haven't tracked went back to
3 smoking?

4 A. Correct.

5 Q. Do you have any statistical data on what
6 percentage of people who actually quit smoking relapse?

7 A. Yeah. There's a bunch of statistics in the

8 binder on that.

9 Q. So you'll just rely on whatever is in the
10 binder for that statistic?

11 A. I mean I'll --

12 MR. KEEHFUS: Object to the form.

13 A. I won't rely on it, but if you want me to quote
14 statistics, I'm happy to look and quote you statistics.

15 Q. I don't want you to quote me anything. I want
16 to know what your opinion is on what percentage of
17 people relapse after quitting smoking?

18 A. Well, I prefer to tell you that in clinical
19 practice it is not unusual for someone to relapse after
20 quitting smoking, and it's not unusual for someone to
21 have to go through multiple quit attempts before they're
22 finally successful and don't go back.

23 Q. Do you have an opinion one way or another
24 whether John Vasko was motivated to quit smoking?

25 A. Yes. I don't think he was motivated.

0118

1 Q. And what's the basis for that opinion?

2 A. He said he wasn't going to stop smoking when
3 people asked him about it. He said "not now" when
4 family members approached him regarding cigarette
5 smoking.

6 Q. Who did he say he wasn't going to stop smoking
7 cigarettes to?

8 A. In the deposition with Glen Wilson, Glen
9 remembers telling Mr. Vasko he should try to quit
10 smoking. Mr. Vasko reacted with silence, didn't want to
11 answer.

12 Q. So that's your justification for having the
13 opinion that --

14 A. Glen Wilson further said that he expressed
15 concern to Vasko that he was addicted and that he should
16 stop, and Mr. Vasko said, and I quote, "Soon, soon."
17 Dr. Dhanani told Mr. Vasko to stop smoking and he
18 didn't.

19 Q. But Mr. Vasko never said he wasn't going to
20 stop smoking, correct?

21 A. Correct. And when he decided to stop smoking,
22 he did for two or three months.

23 Q. You would agree with me that Mr. Vasko relapsed
24 his smoking, right, relapsed and started smoking again?

25 A. After those three months, yes.

0119

1 Q. And that's not unusual, is it?

2 A. It's not unusual, but the nicotine by that time
3 was way out of his body. That wasn't the issue.

4 Q. Is it unusual for someone who is addicted to
5 smoking to relapse?

6 A. No.

7 Q. Even if they wanted to quit smoking, correct?

8 A. Correct. It's also not unusual at all for
9 people who want to quit smoking to quit and be
10 successful at it.

11 Q. Now, Doctor, does smoking cause COPD?

12 MR. KEEHFUS: Object to the form.

13 Q. Or do you just not have an opinion?

14 A. You know, I'm not a pulmonologist and I would
15 really defer to them.

16 Q. Do you have an opinion whether or not smoking
17 causes emphysema?

18 MR. KEEHFUS: Same objection.

19 A. Same answer.

20 Q. What about smoking causing lung cancer?

21 MR. KEEHFUS: Same objection. Object to the
22 form.
23 A. Same answer.
24 (A recess was taken, after which the following
25 proceedings were had:)

0120

1 (The portion referred to was read by the
2 reporter as above recorded.)
3 BY MR. ZEBERSKY:
4 Q. Do you have an opinion whether smoking causes
5 lung cancer?
6 A. No.
7 Q. Would you agree with the proposition that the
8 more highly addicted someone is to smoking the harder it
9 is for them to stop?
10 MR. KEEHFUS: Object to the form.
11 A. No. In fact, I think that that's not a
12 predictor of how hard it is for a person to stop
13 smoking. The number one predictive factor is their
14 educational level as opposed to anything else.
15 Q. And could you describe what you mean?
16 A. People who are greater than high school
17 educated have better success than people who are less
18 than college educated.
19 Q. And what about people who didn't graduate from
20 high school?
21 A. The cutoff that I've read is in college as
22 opposed to not in college, high school versus non-high
23 school. Mr. Vasko had a GED. He didn't graduate high
24 school, but I think he would fall in the less educated
25 group.

0121

1 Q. And that's the highest predictor on whether
2 someone is going to be able to quit smoking?
3 A. Correct.
4 Q. And what is the second predictor on whether or
5 not someone is going to be able to quit smoking?
6 A. There are things like whether they're -- their
7 line of work, whether they're blue collar or white
8 collar work. Things like whether or not they've had
9 military service is also a negative predicting factor.
10 Q. Let me stop you for a second. If someone is in
11 blue collar work, it makes it less likely that they're
12 going to be able to quit smoking?
13 A. Correct.
14 Q. And if someone is in the military for a portion
15 of their life, it makes it less likely that they're
16 going to be able to quit smoking?
17 MR. KEEHFUS: Object to the form.
18 A. Correct.
19 BY MR. ZEBERSKY:
20 Q. What are the other criteria?
21 A. Certain personality factors.
22 Q. What personality factors?
23 A. Things like risk-taking behavior.
24 Q. What else?
25 A. Sex.

0122

1 Q. Men or women are less likely to quit?
2 A. Women are more likely.
3 Q. So men are less likely to quit smoking?
4 A. Correct.
5 Q. Why is that?
6 A. I don't know.
7 And in terms of your question regarding heavy

8 smokers, about a third of both people who succeed and
9 people who relapse were heavy smokers.

10 Q. And what do you rely on for that information?

11 A. These are just statistics that I've come across
12 in doing my preparation and education.

13 Q. Why is education a determinant factor on
14 whether someone is going to be able to quit?

15 MR. KEEHFUS: Object to form.

16 A. It's unclear. I don't think anybody knows why
17 specifically. It's just an epidemiological issue.

18 Q. And why is whether someone is blue collar make
19 it less likely that they're going to be able to quit?

20 MR. KEEHFUS: Object to the form.

21 A. I think it's -- my opinion on that is it's
22 probably more likely that people who are white collar
23 are higher educated.

24 BY MR. ZEBERSKY:

25 Q. What is it about people's personality that
0123 makes it less likely that they will quit?

1 MR. KEEHFUS: Object to the form.

2 A. You know, there are certain people who are more
3 apt to engage in risk-taking behavior and to not pay
4 attention to society restrictions on behavior.

5 Q. There were no society restrictions on smoking
6 cigarettes at any point in time, are there?

7 MR. KEEHFUS: Object to the form.

8 A. I mean, I think that there have been a great
9 deal of public health propaganda targeted at cigarette
10 smoking behavior making it appear a less attractive
11 thing.

12 Q. What do you mean by public health propaganda?

13 A. I mean steps that public health people in this
14 country and other countries have taken to make cigarette
15 smoking appear as an unattractive alternative to people.
16 I'm not using propaganda in a pejorative manner. I'm
17 just saying it's a way to influence public behavior.

18 Q. Do you believe that smoking is unattractive?

19 A. Personally?

20 MR. KEEHFUS: Object to the form.

21 BY MR. ZEBERSKY:

22 Q. Yes.

23 A. Personally, yes, I do believe it's
24 unattractive.

25
0124

1 Q. Why is that?

2 MR. KEEHFUS: Object to the form.

3 A. I mean, this has nothing to do with my expert
4 opinion. It's just my personal opinion. It's not
5 attractive. It's smelly. It's not something I like to
6 be around.

7 BY MR. ZEBERSKY

8 Q. What is it about being in the military that
9 makes people more or less likely to quit?

10 A. I don't know.

11 MR. KEEHFUS: Object to the form.

12 Q. Do you feel that John Vasko's personality
13 contributed to his inability to quit cigarettes after
14 you determined him to be addicted?

15 MR. KEEHFUS: Object to form.

16 A. You know, I think that Mr. Vasko had a very
17 strong will. His will was so strong that despite the
18 advice of physicians, he made the choice to continue
19 smoking. A person's will is part of their personality.

20 Q. So you believe that Mr. Vasko's personality,

21 more specifically the fact that he had a strong will, is
22 an indicator that he wanted to smoke as opposed to quit
23 smoking?

24 A. I think it's an indicator that he continued to
25 smoke when people in authority told him that it wasn't a

0125

1 good thing for him to do, and that to me is evidence of
2 a person with a lot of will.

3 Q. Do you feel that Mr. Vasko enjoyed smoking?

4 A. I think there's some testimony that he enjoyed
5 smoking.

6 Q. But do you feel as you sit here today that
7 Mr. Vasko enjoyed putting toxins from smoke into his
8 body?

9 MR. KEEHFUS: Object to the form.

10 A. You're again confusing the behavior, which
11 almost any cigarette smoker will tell you is an
12 enjoyable behavior, with the result of the behavior.

13 You know, I think the jury understands the act
14 of cigarette smoking and we all know people who enjoy
15 the act of cigarette smoking. I think we understand
16 that people don't want to put toxins in their lungs, but
17 that doesn't mitigate the fact that they enjoy the
18 smoking behavior.

19 Q. Do you feel that Mr. Vasko enjoyed putting the
20 toxins in his lungs?

21 MR. KEEHFUS: Object to the form.

22 A. I don't know whether he enjoyed putting the
23 toxins in his lungs or not.

24 Q. Would you agree with me that most of the
25 factors that you described which indicate a poor

0126

1 likelihood that someone would quit smoking cigarettes,
2 John Vasko meets them all?

3 MR. KEEHFUS: Object to the form.

4 A. He meets some of them, yes.

5 BY MR. ZEBERSKY:

6 Q. He meets low education, right?

7 A. Correct.

8 Q. He meets that he's blue collar, right?

9 A. Correct.

10 Q. He meets that he has a strong personality, he's
11 a risk taker, right?

12 A. Well, we don't know he's a risk taker. We know
13 he's a strong personality.

14 Q. So you would agree with me that Mr. Vasko is
15 not a risk taker or you just don't have an opinion?

16 A. I said I don't know.

17 Q. You would agree with me that the fact that he
18 has a strong personality makes it more likely that he
19 wouldn't quit, correct?

20 MR. KEEHFUS: Object to the form.

21 A. I think that that can go in both ways. If a
22 person has made a personal decision to stop smoking,
23 their strong will can help them.

24 Mr. Vasko, at the time that he was presented
25 with a strong warning by Dr. Dhanani to stop smoking,

0127

1 chose not to.

2 BY MR. ZEBERSKY:

3 Q. Is there any evidence in the literature that
4 people with strong wills are more likely to quit smoking
5 than people without strong wills?

6 A. No.

7 Q. Mr. Vasko was in the military, right?

8 A. Yes.
9 Q. Mr. Vasko is a male, right?
10 A. Yes.
11 Q. Would you agree with me that Mr. Vasko is
12 heavily addicted to smoking?
13 MR. KEEHFUS: Object to the form.
14 A. No. I think he was addicted to smoking, as I
15 said before.
16 Q. But just not heavily addicted, in your opinion?
17 A. Correct.
18 Q. If Mr. Vasko came in to be treated by you, is
19 it your opinion that you would have been able to get him
20 to quit smoking?
21 MR. KEEHFUS: Object to the form.
22 Q. Within a reasonable degree of probability?
23 A. I don't know.
24 Q. Based on the fact that Mr. Vasko had all of
25 these characteristics which indicate that a person
0128
1 wouldn't quit smoking, would you agree with me that it
2 would be more difficult for him to quit than someone
3 else?
4 MR. KEEHFUS: Object to the form.
5 A. No, I wouldn't agree. If Mr. Vasko came at a
6 stage where he was ready to take action, I think that he
7 would have had a good chance of stopping smoking. In
8 fact, he did.
9 Q. Isn't there evidence in the record that
10 Mr. Vasko actually went to a doctor to quit smoking?
11 A. There is a brief mention that he went to a
12 doctor. There's no details about what type of treatment
13 was provided, his compliance with the treatment, what
14 the recommendations were made or not made.
15 Q. You just don't know one way or another whether
16 Mr. Vasko complied with that doctor's treatment to quit
17 smoking?
18 A. Correct.
19 Q. Aren't there just some people that can't quit
20 smoking?
21 MR. KEEHFUS: Object to the form.
22 A. I think that that's not the case. I think that
23 people, with appropriate motivation and care, can stop
24 smoking.
25 BY MR. ZEBERSKY:
0129
1 Q. What is the most important predictor on someone
2 deciding to quit smoking?
3 MR. KEEHFUS: Object to the form.
4 A. I don't know how to --
5 Q. Would you agree with me it's motivation?
6 MR. KEEHFUS: Let's get an answer to the first
7 question before we have a follow-up.
8 A. I don't know how to answer that question.
9 Q. Would you agree that motivation is one of the
10 most important factors that drives someone to quit
11 smoking?
12 A. Yes.
13 Q. Would you also agree with me that part of being
14 motivated to quit smoking is because you think that
15 smoking might harm your health?
16 MR. KEEHFUS: Object to the form.
17 A. That may be part of it for a particular
18 individual. Other people that may not be playing a role
19 at all.
20 Q. But you would agree with me that in a portion

21 of the population the motivation to quit smoking is so
22 you're not going to die, right?

23 MR. KEEHFUS: Object to the form.

24 A. I don't know. I know of no data that shows
25 that to be true.

0130

1 BY MR. ZEBERSKY:

2 Q. I'm asking you as a clinician, someone who
3 treats people as a psychiatrist on a daily basis?

4 A. Then I would say absolutely not. I think
5 people tend to think of themselves as infallible and
6 don't want to think that the worst consequences can
7 happen to them, so I would disagree.

8 Q. And would you agree with me that people's
9 infallibility is only propped up or supported when
10 people are given information that they want to hear?

11 MR. KEEHFUS: Object to the form.

12 A. May or may not. I need to know more about the
13 specific circumstances.

14 Q. Would you agree with me that for the average
15 smoker if they're being told that cigarettes aren't bad
16 for their health, that would be an indicator on whether
17 or not they would be motivated to quit smoking?

18 MR. KEEHFUS: Object to the form.

19 A. I think it's impossible to extrapolate to an
20 average smoker. One would have to take a particular
21 case on its merits.

22 Q. Do you think if John Vasko knew that smoking
23 was bad for his health he would have stopped smoking?

24 MR. KEEHFUS: Object to the form.

25 A. I don't know. Well, in fact, I can say yes.

0131

1 Mr. Vasko did stop smoking at a point in time when he
2 knew that cigarette smoking was bad for him.

3 Q. You would agree with me that had John Vasko
4 known that smoking was bad for his health, he would have
5 been motivated to quit smoking?

6 MR. KEEHFUS: Object to the form.

7 A. No. All I can say is at that specific point in
8 time when he was motivated to stop, he did stop.

9 BY MR. ZEBERSKY:

10 Q. Do you believe that Mr. Vasko craved nicotine?

11 A. I'm sorry?

12 Q. Do you believe that Mr. Vasko craved nicotine?

13 A. I believe there's testimony that at some points
14 in time when he was without a cigarette that he craved
15 the cigarettes.

16 Q. And at what point in time was that?

17 A. His wife reported that when he would stop for a
18 few days he would just want that next cigarette, I think
19 were her words.

20 Q. Do you have an opinion with regards to whether
21 Mr. Vasko craved cigarettes before 1990 or you just have
22 no opinion?

23 A. We don't know.

24 Q. Do you believe that Mr. Vasko was tolerant to
25 nicotine, that he had tolerance?

0132

1 A. Yes.

2 Q. When did he have tolerance, in your opinion?

3 A. Well, I think over the course of his lifetime
4 he developed tolerance.

5 Q. When would you say, in your opinion, that he
6 first developed tolerance, if you have an opinion?

7 A. You know, it's a very difficult question to

8 answer because his use went from zero cigarettes to a
9 pack and a half a day, and I don't think he could have
10 tolerated a pack and a half a day when he started
11 smoking. When exactly that developed, I don't know.

12 Q. So is it safe to say you just don't have an
13 opinion one way or another?

14 A. Correct.

15 Q. I'd like you to open up the DSM-IV again. I'm
16 going to ask you to take a look at Page 264. I'm
17 hopeful that page --

18 A. I have the numbers in my book. I'm on 264. I
19 have it.

20 Q. I'm referring down midway through the paragraph
21 on nicotine dependence where it says, "Many individuals
22 who use nicotine take nicotine to relieve or to avoid
23 withdrawal symptoms when they wake up in the morning or
24 after being in a situation where use is restricted."

25 Do you agree with that statement?

0133

1 A. Yes.

2 Q. Do you believe that that statement applies to
3 John Vasko?

4 A. You know, I know he used nicotine in the
5 morning when he woke up. I don't know if he was
6 experiencing withdrawal symptoms at that time. I know
7 that there is testimony that when he was in a situation
8 where his use was restricted that he would then go out
9 and smoke, but again the testimony doesn't indicate that
10 when he went out he was experiencing withdrawal, so the
11 behaviors were there.

12 Q. You would agree with me that many individuals
13 who use nicotine take nicotine to avoid withdrawal
14 symptoms, right, not just to relieve but to avoid
15 withdrawal symptoms?

16 A. Yeah.

17 Q. Would you agree that that was the case with
18 John Vasko?

19 A. I don't know.

20 Q. I'm going to ask you to take a look at Page
21 266, the third to the last page, I believe.

22 A. I'm not using yours. I have numbers.

23 Q. Where it says "Additional Information on
24 Nicotine-Related Disorders."

25 A. Okay.

0134

1 Q. Five lines down it says, "Several features
2 associated with nicotine dependence appear to predict a
3 greater level of difficulty in stopping nicotine use."
4 You with me so far?

5 A. Yes.

6 Q. Smoking soon after waking, do you agree with
7 that?

8 A. No.

9 Q. Why not?

10 A. I don't have -- based on my experience, that's
11 not true.

12 Q. Smoking when ill, do you agree with that?

13 A. No.

14 Q. Why not?

15 A. Again, based upon my experience, that's not a
16 predictive factor in a person's ability to stop.

17 Q. And it's your experience with just the people
18 that you treated for nicotine dependence, correct?

19 MR. KEEHFUS: Object to the form.

20 A. No. As I said before, it's based upon my

21 entire medical training and career.
22 Q. All right. You would agree with me that
23 dependence is the same thing as addiction at least?
24 A. I think we've been through that already.
25 Q. No, we really haven't. I didn't ask you that
0135
1 question.
2 A. Oh. I'm sorry. I thought we did. Yes. Yeah.
3 Q. So addiction is the same thing as dependence?
4 A. I think that I'm -- yeah. I mean, you know,
5 there's a lay understanding, but it's interchangeable.
6 As a matter of fact, in the DSM-V they're working on
7 that distinction.
8 Q. "The number of cigarettes smoked per day, the
9 nicotine yield in the cigarette, and the number of pack
10 years are also related to the likelihood of an
11 individual stopping smoking," do you agree with that?
12 A. Yes.
13 Q. And would you agree with me that all of those
14 criteria with respect to John Vasko would tend to lead
15 that it would be more difficult for him to stop smoking?
16 MR. KEEHFUS: Object to form.
17 A. No. It says that they're related to the
18 likelihood of an individual stopping to smoke, not
19 whether they would be successful if they tried to stop
20 smoking, so it's an important distinction.
21 Q. What is the distinction? Explain it to us.
22 A. You know, a person who's smoking a lot may be
23 really into it and like it and just not want to stop
24 smoking.
25 Q. But they also might be heavily addicted to
0136
1 nicotine, correct?
2 A. Correct. However, you know, some of the
3 studies that we discussed earlier show that in fact
4 people who are heavy cigarette smokers don't meet these
5 same criteria that you're quoting from.
6 Q. Would you tell me what study you're talking
7 about?
8 A. Donny 2007, sir.
9 Q. So the statement that "The number of cigarettes
10 smoked per day, the nicotine yield of the cigarette, and
11 the number of pack years" also are related to the
12 likelihood of an individual stopping smoking?
13 A. You're the one who went from the likelihood of
14 them stopping smoking and extrapolated beyond that. I'm
15 willing to accept the likelihood of them stopping
16 smoking because that's a behavioral issue. That has
17 nothing to do with addiction. One's likelihood of
18 stopping smoking has to do with one making the choice to
19 stop smoking.
20 Q. And wouldn't you agree with me that it's more
21 likely than not that people who smoke heavily every day
22 are less likely to choose to stop smoking?
23 A. It's possible. I think that, you know, a lot
24 of heavy smokers do choose to stop smoking. A lot of
25 light smokers choose not to stop smoking.
0137
1 Q. I'm just asking you if it's more likely than
2 not the heavier the smoker --
3 A. I don't think so.
4 Q. You don't think so?
5 A. No.
6 Q. You don't think it's more likely than not that
7 the more nicotine that an individual needs makes it more

8 likely that they won't quit smoking?
9 MR. KEEHFUS: Object to the form.

10 A. I think that the -- again, you're trying to mix
11 the behavior with the fact that when you smoke, nicotine
12 gets in your body. I think that the behavior depends on
13 behavioral control and that every cigarette smoker has
14 behavioral control over what they're doing. They have a
15 choice. Whether they're a heavy smoker or a light
16 smoker, they have a choice.

17 Q. Do you believe that drugs prevent people from
18 utilizing their free will?

19 A. No.

20 Q. Do you think that addiction to drugs prevent
21 people from utilizing their free will?

22 A. No. I'm not of the opinion that people are
23 robots whose free will can be taken from them by
24 nicotine. I think that's ridiculous.

25 Q. Do you think that drugs, any drug can prevent a
0138 person from being able to function properly in their
1 daily routine?
2
3 A. That's an extremely broad question. I mean, I
4 could --
5 Q. What's the answer?
6 A. -- hypothesize a situation where, you know, a
7 crystal methamphetamine user has a decline in function
8 because of their crystal methamphetamine use, so the
9 answer to that question would be "I guess."

10 Q. What do you think caused Mr. Vasko's diseases?
11 Let's start first with emphysema.

12 MR. KEEHFUS: Object to the form.

13 A. I don't know what caused his emphysema.
14 BY MR. ZEBERSKY:
15 Q. Did smoking cause his emphysema?
16 MR. KEEHFUS: Object to the form.
17 A. I don't --
18 Q. You don't have an opinion one way or another
19 what caused his emphysema; is that correct, Doctor?
20 A. Excuse me. You know, I have an opinion that
21 his addiction absolutely did not cause his emphysema.
22 You know, if you have pulmonary people who say
23 it is the ingestion of the cigarette smoke that caused
24 his emphysema, I think that's a reasonable opinion if
25 they so express it. However, I don't believe that the
0139 addiction is really an issue. I don't think that it
1 caused his emphysema.
2
3 Q. So what do you think did cause his emphysema?
4 MR. KEEHFUS: Object to the form. Asked and
5 answered at least four or five times. You can
6 answer again.
7 A. You know, once again, I'm not a pulmonologist.
8 I'm not an expert in the pathophysiological changes in
9 the lungs, how does that happen from cigarette smoke.
10 Q. So you're just saying that it's your opinion
11 and your only opinion that it wasn't addiction that
12 caused his emphysema, correct?
13 A. Well, I think I said a lot of other opinions
14 here today.
15 Q. I understand, but that's the opinion that
16 you're stating with regard to the cause of emphysema,
17 your only opinion with respect to the cause of emphysema
18 is that it was not a result of addiction to nicotine?
19 MR. KEEHFUS: Object to the form.
20 A. I don't feel comfortable as a psychiatrist

21 asked to provide opinions regarding the addiction issues
22 in Mr. Vasko's presentation to offer an opinion
23 regarding pulmonary pathology.

24 BY MR. ZEBERSKY:

25 Q. I'm not asking you to do that. You gave us the
0140 opinion earlier that addiction did not cause disease?

1 A. Correct.

2 Q. And I'm asking you, do you have an opinion, if
3 addiction did not cause the disease, what else caused
4 the disease?
5

6 MR. KEEHFUS: Object to the form.

7 A. Well, you know, I think that as a physician
8 it's reasonable to say that cigarette smoking caused his
9 pulmonary disease, but that is separate, the behavior of
10 cigarette smoking is separate from the addiction.

11 Q. I'm just asking you whether you're going to
12 give an opinion at trial whether anything but addiction
13 did not cause his disease?

14 MR. KEEHFUS: Object to the form.

15 A. I don't think that I'm being asked to render
16 any other opinion.

17 Q. So the only opinion that you're going to give
18 with regards to the causation of his disease is that
19 addiction didn't cause it, right?

20 MR. KEEHFUS: Object to the form.

21 A. Yes.

22 Q. And you're not going to tell us what caused his
23 disease, right?

24 MR. KEEHFUS: Object to the form.

25 A. Correct. I'm a little confused with the -- you
0141

1 told me not to answer questions that I didn't
2 understand, and I'm a little confused --

3 BY MR. ZEBERSKY:

4 Q. I'm just trying to figure out --

5 A. -- of what you're asking me.

6 Q. I'm just trying to figure out if there's
7 something other than addiction that you're going to
8 testify to?

9 I mean, it's tough because I mean, look, your
10 testimony is that addiction did not cause his disease?

11 A. Yeah.

12 Q. Right? And I accept that. Now, is there
13 anything besides addiction that you are going to testify
14 to that caused Mr. Vasko's disease?

15 A. I don't think so.

16 MR. KEEHFUS: Object to the form.

17 A. I don't think so.

18 MR. KEEHFUS: Just for clarification, for the
19 record, when you say "anything," are we talking
20 -- I think we probably could get on the same
21 page. We're talking medical factors or
22 exposures to environmental toxins? Anything
23 is --

24 MR. ZEBERSKY: I'm just asking him what
25 he's going to testify to as a psychiatrist. If

0142
1 you want to get into medical discussions as to
2 what caused his diseases, that's fine. I just
3 want to know what this gentleman is going to
4 testify to.

5 MR. KEEHFUS: Okay. Fair enough.

6 MR. ZEBERSKY: I may be done, Doctor. Give
7 me a couple of minutes.

8 BY MR. ZEBERSKY:
9 Q. Do you believe that John Vasko smoked
10 cigarettes to get nicotine?
11 MR. KEEHFUS: Object to the form.
12 A. I think that Mr. Vasko smoked cigarettes for
13 many reasons.
14 Q. Do you think one of those reasons was to put
15 nicotine into his body?
16 A. Yes.
17 Q. Do you believe or have an opinion within a
18 reasonable degree of medical probability whether John
19 Vasko would have smoked cigarettes had the cigarettes
20 not contained nicotine?
21 MR. KEEHFUS: Object to the form.
22 A. No, I don't.
23 Q. Are there any other addictive qualities of
24 cigarettes other than nicotine?
25 A. Yes. There's a whole behavioral paradigm
0143 associated with cigarette use.
1 Q. So there are behavioral qualities with
2 cigarette use that cause addiction?
3 MR. KEEHFUS: Object to the form.
4 A. No. That's a different question. I mean, the
5 issue is that the behaviors associated with smoking take
6 on a life of their own. You know, how else hence the
7 fact that someone who has quit for months at a time goes
8 back to the behavior? They're not going back to the
9 nicotine. They're over the nicotine withdrawal, both
10 the acute and subacute nicotine withdrawal. They're
11 going back to the behavior, the memory, the pleasurable
12 feeling.
13 BY MR. ZEBERSKY:
14 Q. The release of Dopamine?
15 A. Well, that's only a theory. You know, Dopamine
16 now is -- the theory now is maybe Dopamine is really not
17 the reward molecule. Maybe Dopamine has to do with the
18 tension and it's the brain saying this is an important
19 thing in the environment.
20 Q. So is it your testimony here today that the
21 release of Dopamine is not one of the reasons why people
22 smoke?
23 MR. KEEHFUS: Object to the form.
24 A. People may smoke because of the rewarding
0144 properties associated with dopamine release, but it's by
1 far not the only reason that people are smoking, and
2 surely the fact that Dopamine is being released does not
3 rob the person of free will to make a choice regarding
4 their smoking behavior.
5 BY MR. ZEBERSKY:
6 Q. Do you think that the release of Dopamine makes
7 it harder for someone to exercise their free will in
8 deciding whether or not to quit smoking?
9 A. No. I think free will is free will. One just
10 needs to make a choice.
11 Q. It's as simple as just making a choice to quit
12 smoking and people are just able to quit?
13 A. I'm not saying that it's an easy choice to
14 make, but it's still a choice that one has to make in
15 order to be successful in stopping.
16 Q. Would you agree with me that some of the
17 behavioral issues that you talk about that encourage
18 people to smoke are things like cigarette smoking is
19 fun?
20

21 MR. KEEHFUS: Object to form.
22 A. Yes.
23 BY MR. ZEBERSKY:
24 Q. Cigarette smoking is cool?
25 MR. KEEHFUS: Object to the form.
0145
1 A. For some people, yes.
2 Q. Cigarette smoking is manly, for men?
3 MR. KEEHFUS: Form.
4 A. Yes.
5 Q. Cigarette smoking is something you do after
6 eating a meal, right?
7 A. What's the question attached to it again?
8 Q. That would be something that would be a
9 behavioral issue with respect to smoking?
10 A. For a particular individual, yes, that may play
11 a role.
12 Q. And would you agree with me that advertising
13 affects behavior?
14 MR. KEEHFUS: Object to the form.
15 A. I'm really not an expert in advertising.
16 Q. Do you believe that when people see
17 advertisements that show something as being cool it
18 makes it more likely that if they want to be cool
19 they're going to go ahead and do that activity?
20 MR. KEEHFUS: Object to the form.
21 A. Once again, I'm not an expert on how
22 advertising affects behavior.
23 BY MR. ZEBERSKY:
24 Q. So you just --
25 A. So I don't know.
0146
1 Q. Do you have an opinion one way or another
2 whether cigarette advertising affected John Vasko's
3 behavioral aspects to smoking cigarettes?
4 A. No.
5 MR. KEEHFUS: Object to the form.
6 Q. Do you believe -- what is your opinion with
7 regards to what behavioral motivations John Vasko had to
8 smoke?
9 A. I believe he wanted to smoke and he made the
10 choice at various points in his life to continue to
11 smoke.
12 Q. But we talked about some of the behavioral
13 elements of people smoking, being cool, being manly.
14 What types of behavioral issues or behavioral
15 activities do you believe encouraged and kept John Vasko
16 smoking cigarettes?
17 MR. KEEHFUS: Object to the form.
18 A. It's difficult to know with the database that I
19 have available to me.
20 Q. I'm asking you to base it upon the testimony
21 that you see.
22 A. I'm saying it's difficult for me to know with
23 the testimony I've been able to review. You know, it's
24 clear that he wanted to smoke. He would go out on the
25 patio and smoke. He had rituals around his smoking
0147
1 behavior. All these things are reinforcing.
2 Q. Do you believe that John Vasko -- okay. I'm
3 not going to ask that question. Give me a minute. I
4 may be done.
5 What is the National Institute of Drug Abuse?
6 A. Probably part of the National Institute of
7 Health.

8 Q. Do you find anything that they do authoritative
9 with respect to nicotine addiction?

10 A. No.

11 MR. KEEHFUS: Object to the form.

12 A. Their job is to inform clinicians, public
13 health official.

14 BY MR. ZEBERSKY:

15 Q. I'm going to ask you to open up your DSM-IV, if
16 you will, to the introduction.

17 A. Which page? I have some of them copied. Maybe
18 I have copies.

19 Q. I think it's XXIII. You may not have it.

20 A. No.

21 Q. Second full paragraph, would you agree with me
22 that individuals other than psychiatrists can utilize
23 the DSM-IV-TR in evaluating patients?

24 A. You know --

25 MR. KEEHFUS: Object to the form.

0148

1 BY MR. ZEBERSKY:

2 Q. Do you just not have an opinion?

3 A. No, no. I think that in fact many other fields
4 other than physicians do use the DSM. You know, if you
5 look at a little further on in the introduction, it says
6 that anybody who does use the DSM needs to rely on
7 appropriate clinical training and experience in
8 diagnostic making. That's on Page XXXII.

9 Q. So you would agree with me though that
10 non-physicians can utilize the DSM-IV-TR in diagnosing
11 illnesses?

12 MR. KEEHFUS: Object to the form.

13 A. What I said is the DSM is meant to be used by
14 individuals with appropriate clinical training in making
15 diagnoses and in evaluating.

16 Q. So included in that would be non-physicians,
17 right?

18 A. Correct.

19 MR. KEEHFUS: Object to the form.

20 A. You know, I also think that since you're
21 talking about the introduction, you should move on to
22 XXXIII where the DSM introduction states that the fact
23 that someone has a DSM mental diagnosis doesn't, for
24 legal purposes, imply a mental defect, mental disease,
25 mental disability, or mental disorder.

0149

1 Q. I'm aware of that. Would you also agree with
2 me, Doctor --

3 MR. ZEBERSKY: By the way, I move to strike
4 that as being nonresponsive.

5 BY MR. ZEBERSKY:

6 Q. Would you also agree with me, Doctor, that in
7 order to utilize DSM-IV, that you need to take -- or you
8 need to perform an interview of the person that's being
9 diagnosed?

10 MR. KEEHFUS: Object to the form.

11 A. No, I disagree.

12 Q. Why do you disagree with that?

13 A. Because there's nothing in the DSM that says
14 you have to do an interview of a person.

15 You know, if I may, while you're looking, since
16 we're talking about the DSM, you know, in the
17 introduction as well, you know, the DSM notes that
18 having a diagnosis does not and should not be construed
19 as implying that one's behavior is uncontrollable.
20 Having diagnosis does not in-and-of itself demonstrate

21 that a particular individual is unable to control his or
22 her behavior at a particular time.

23 Excuse me. My office is calling.

24 (A discussion was held off the record.)

25 MR. ZEBERSKY: I don't have anything further.

0150

1 I appreciate your time, Doctor.

2 THE WITNESS: Thank you.

3 MR. ZEBERSKY: Do me a favor. Send me a
4 bill for your time. I'll make sure I pay it.

5 MR. KEEHFUS: I've got a couple of
6 questions.

7 CROSS-EXAMINATION

8 BY MR. KEEHFUS:

9 Q. Since we are talking about the DSM, let's talk
10 about this portion of the introduction, Dr. Abramson,
11 and the portion specifically we're talking about is Page
12 XXXIII, and there were two portions I wanted to ask you
13 about. The first is at the top of the page which I
14 think you referenced just a few minutes ago, but I'd
15 like you to point me to the section that you were
16 talking about and then I'd like to ask you a few
17 questions about that.

18 A. I think I referenced the first paragraph.

19 Q. This is the first paragraph on --

20 A. On Page 33 in the introduction.

21 MR. ZEBERSKY: Are you going to read it into
22 the record? I don't know.

23 MR. KEEHFUS: I don't think I -- I don't
24 want him to read the whole thing into the
25 record, but it's at the top of Page XXXIII.

0151

1 MR. ZEBERSKY: Here?

2 THE WITNESS: Yeah.

3 A. And then I also referenced the issue of control
4 that was in the next paragraph.

5 BY MR. KEEHFUS:

6 Q. In the first -- what's the significance of that
7 first paragraph to you?

8 A. There's a few significant issues. Number one,
9 the fit between the law and psychiatry is not great, and
10 when mental health practitioners talk about mental
11 disorders and mental problems, that's different than
12 what the law considers a mental defect in that we look
13 at personal functioning and personal ability to modulate
14 behavior even in the context of people with certain
15 mental diseases or mental deficits.

16 It becomes dangerous to assume that the
17 presence of a mental disorder causes behavioral
18 dyscontrol to the point that a person is not responsible
19 for their actions, and that's not really supported by
20 clinical data.

21 In fact, most people who are laboring under
22 mental disease have relatively good control of their
23 behavior.

24 Q. The first full paragraph on Page XXXIII in the
25 introduction is the other issue that you highlighted in

0152

1 the introduction. I'll just read this sentence. It
2 says, "Non-clinical decision-makers should also be
3 cautioned that a diagnosis does not carry any necessary
4 implications regarding the causes of the individual's
5 mental disorder or associated impairments." And then
6 the next sentence -- I'm sorry, the third sentence says,
7 "Moreover, the fact that an individual's presentation

8 meets the criteria for a DSM-IV diagnosis does not carry
9 any necessary implication regarding the individual's
10 degree of control over the behaviors that may be
11 associated with the disorder," and I'd like to ask you
12 just a question about that.

13 Today you've talked about the difference
14 between behavior and addiction. Do you recall that?

15 A. Yes.

16 Q. Is there anything in this paragraph in the
17 introduction that is significant to your opinions
18 regarding the distinction between addiction and
19 behavior?

20 A. Well, I think that the paragraph sets it out
21 quite clearly that the presence of a diagnosis of a
22 substance dependence disorder does not speak to the
23 person's ability to control their behavior.

24 Q. You were asked about what Mr. Vasko believed or
25 what he thought about the risks of smoking. Do you

0153

1 recall that?

2 A. Yes.

3 Q. To be clear, do you really know what Mr. Vasko
4 thought or believed at any point in time?

5 A. No.

6 Q. Okay. We know what the record records, right?

7 A. Correct.

8 Q. Now, the record is what the record is?

9 A. Yes.

10 Q. But you don't actually know what Mr. Vasko
11 himself thought or believed at any point in time?

12 A. Correct.

13 Q. You're not a mind reader?

14 A. Especially a person who is not alive, correct.

15 Q. You do recall Dr. Dhanani's testimony -- I'm
16 sorry, Mrs. Vasko's testimony that Dr. Dhanani told
17 Mr. Vasko that smoking caused his COPD, do you recall
18 that?

19 A. Yes.

20 Q. And you recall that that was during a doctor's
21 visit in sometime -- well, whenever it happened --

22 A. Correct.

23 Q. -- during his diagnosis?

24 A. Correct.

25 Q. Finally, I want to talk to you about this very

0154

1 book, the DSM, just to make sure we clearly have your
2 opinions on its utility in the clinical setting.

3 A. Okay.

4 Q. Does the DSM itself speak to whether it should
5 be used as a cookbook or a take-out menu from which
6 criteria can be selected out and mathematically used to
7 derive a clinical conclusion, does it speak to that?

8 A. Yes, it does.

9 Q. And what does the DSM say about that?

10 A. There are cautions given to clinicians that
11 experience and skill needs to be applied to the issues
12 in the DSM and that one should not use it as a cookbook.

13 In fact, they speak to the issue of if a
14 patient appears to be a certain diagnosis but doesn't
15 meet every specific requirement of the categorization
16 that one should not exclude them from having a
17 diagnosis.

18 Q. And notwithstanding the fact that it shouldn't
19 be used as a cookbook or it shouldn't be used
20 mechanically, does it still inform your clinical

21 judgment and opinions as you treat patients and make
22 psychiatric evaluations?
23 A. Yes.
24 Q. So the DSM does have utility in your clinical
25 practice?
0155
1 A. Correct.
2 (A discussion was held off the record.)
3 (A recess was taken, after which the following
4 proceedings were had:)
5 BY MR. KEEHFUS:
6 Q. Doctor, you were asked about predictive factors
7 and the likelihood of the success of quitting smoking.
8 Do you recall that?
9 A. Yes.
10 Q. You talked about education level?
11 A. Yeah.
12 Q. Those factors don't have anything to do with
13 the ability to quit smoking; is that right?
14 A. No. They're just correlations.
15 Q. In other words, all those people are able and
16 capable of quitting smoking?
17 A. Correct. Just when you look at quitters, more
18 of them are higher educated than not.
19 Q. Okay. That's all I have.
20 REDIRECT EXAMINATION
21 BY MR. ZEBERSKY:
22 Q. Here's my one question. Then I'd like to give
23 the court reporter a couple minutes to look for
24 something.
25 Would you agree with me that what the law would
0156
1 consider an addiction is different than what psychiatry
2 is going to consider addiction?
3 MR. KEEHFUS: Object to the form.
4 A. I don't know what the law is going to consider
5 an addiction, so you need to help me on that.
6 Q. So you're not taking an opinion one way or
7 another whether legally Mr. Vasko is addicted to smoking
8 cigarettes; is that correct?
9 MR. KEEHFUS: Object to the form.
10 A. I'm speaking as a clinician.
11 Q. So you're saying from a psychological
12 standpoint Mr. Vasko was addicted to cigarettes after
13 1990, correct?
14 MR. KEEHFUS: Object to the form.
15 A. Yes.
16 (A discussion was held off the record.)
17 MR. ZEBERSKY: I'm done.
18 THE WITNESS: I would like to read.
19 MR. ZEBERSKY: Can you get it to me Friday?
20 MR. KEEHFUS: Can I get mine at the same
21 time?
22 (The taking of the deposition was concluded at
23 5:14 p.m.)
24
25

0157

ERRATA SHEET

DO NOT WRITE ON TRANSCRIPT. ENTER ANY CHANGES HERE.

RE: ENGLE PROGENY CASES, TOBACCO LITIGATION
DEPO OF: I. JACK ABRAMSON, M.D.
TAKEN: OCTOBER 13, 2010

CERTIFICATE OF REPORTER

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STATE OF FLORIDA)
) ss.
COUNTY OF BROWARD)

I, Lynda Royer, Registered Professional Reporter, certify that I was authorized to and did stenographically report the deposition of I. JACK ABRAMSON, M.D.; Pages 1 through 159; that a review of the transcript was requested; and that the transcript is a true and complete record of my stenographic notes.

I further certify that I am not a relative, employee, attorney, or counsel for any of the parties, parties' attorneys or counsel connected with the action, nor am I financially interested in the action.

DATED this 15th day of October 2010.

Lynda Royer, R.P.R.
Registered Professional Reporter